

1 THE COURT: So we're going to keep you on
2 the jury. But if something does happen, you know, if
3 you hear from your wife or -- we'll have you have your
4 phones off while you're in here. So Susie could get a
5 message too and would give me a note and we can take
6 care of it if something happened, okay?

7 JUROR PARMENTIER: Very good.

8 THE COURT: Thank you for sharing that with
9 us. Get the whole jury, if you would.

10 (The following record was made in the
11 presence of the jury.)

12 THE COURT: Be seated. At this time the
13 attorneys have an opportunity to give you -- to give
14 you what we call an opening statement. In doing so,
15 they will explain to you what they believe are the
16 issues in the case and what they expect that the
17 evidence will show.

18 The statements which they make now and the
19 arguments which they will make later at the close of
20 the evidence are not evidence and may not be
21 considered by you as evidence. They are merely to
22 give you here a preview of what we anticipate -- or
23 they anticipate will happen and, at the close, to
24 further explain their case to you.

25 Also, any statement that may be made about

1 the law is not to be considered the law of the case,
2 which I will give you later.

3 Since some of the evidence comes in
4 piecemeal or out of chronological or logical sequence
5 in a trial of a lawsuit, the statements of counsel are
6 merely to put the facts into perspective for all of
7 you. They are intended to give you a thumbnail sketch
8 of the case and outline the evidence to better aid you
9 in understanding the issues and the evidence.

10 Therefore, please give them your attention.

11 Counsel for the plaintiff.

12 MR. BALL: May it please the Court. You
13 guys haven't heard from me yet, but my name is Wesley
14 Ball. I am law partners with Kyle Farrar, who you
15 have spoken to quite a bit. And I also work with Fred
16 James. I appreciate you all coming in today and
17 appreciate the service that you provide.

18 The evidence in this case will show you
19 that the tire in that box right there is defective in
20 design and it's defective in manufacture. And the
21 evidence is going to further show you that Cooper knew
22 that that tire in that box was defective in design and
23 defective in manufacture.

24 You'll hear evidence over the next -- over
25 the coming weeks that Cooper knew in 1995 that they

1 had a problem with its tires and the separation rate
2 of its tires, that that problem increased in '96, '97,
3 '98, '99, and the year this tire was manufactured in
4 2000.

5 On the morning of September 17, 2007,
6 everything seemed to be fine. Everyone woke up, all
7 of the people that you see here in front of you. It
8 was just like any other day. Everyone readied
9 themselves for work, to go to work around 1 or 2:00 in
10 the afternoon.

11 And in all honesty, for these individuals
12 in front of you today who are sitting here, living in
13 Des Moines was really a dream come true. And the
14 place that they work, the Swift Meat Company, was a
15 dream come true. I know that might sound like it's
16 kind of difficult to understand or believe, but the
17 fact of the matter is that it was. And you'll see
18 from the evidence that they believed that it was their
19 dream come true.

20 You see here in Des Moines -- you've heard
21 a little bit about their background, but here in
22 Des Moines it's very different from where they grew up
23 and where they lived. Where they lived, they
24 literally dodged bullets and they dodged people who
25 were trying to murder them and their family. It's a

1 lot different in Africa than it is here.

2 Two people that you'll hear from lived in
3 the south of Sudan. In Sudan there was a large civil
4 war going on, and Islamic extremists were killing and
5 enslaving Christians. That's how some of these
6 individuals came to live here in the United States.

7 You'll hear of other individuals who lived
8 in Liberia. In 1990 the Liberian government was
9 overthrown -- attempted to overthrow it by a man by
10 the name of Charles Taylor. I don't know if any of
11 you have heard about Charles Taylor, but he's a pretty
12 bad man, to say the very least.

13 When he came into power, if you didn't
14 announce your allegiance to the gentleman or to the
15 guy or whatever you want to call him -- it's difficult
16 for me to call him a sir or a mister -- if you didn't
17 announce your allegiance to him, he had you killed on
18 the spot, literally. These individuals will tell you
19 about people that they saw killed on the spot, family
20 members who they saw killed on the spot.

21 Sekou Jai, the gentleman the third from the
22 right, was a member of the opposite political party of
23 Charles Taylor. As you can imagine, Charles Taylor
24 didn't take very nice or very kind with that. Sekou
25 and his family had to flee the country that he was in,

1 as did everyone else had to flee the country that they
2 were in because they were being persecuted for their
3 beliefs and for their race.

4 The only real hope they had was to come to
5 a different place far, far away from where they were.
6 And the United Nations and the United States of
7 America allowed that to happen. They allowed it to
8 happen much like they allowed it to happen for every
9 single one of our ancestors, in all honesty.

10 They were literally living the American
11 dream on the day of September 17, 2007. That dream
12 ended that day. Everyone got into a minivan because
13 everyone was going to the Swift Meat Company for their
14 work. When they boarded that van, nothing was wrong,
15 they didn't think anything was wrong, or no one
16 thought anything would go wrong. However, something
17 did.

18 The van was driven by this gentleman right
19 here, Mr. Alfred Lang. Cooper has sued Mr. Alfred
20 Lang, and Cooper will try to show you evidence that
21 Mr. Alfred Lang is responsible for this entire
22 incident, responsible for everyone in that vehicle.

23 Mr. Lang had driven all of these
24 individuals to work, to and from work for a few
25 months. Everyone carpooled together. You probably

1 remember back then, gas was a little higher than it is
2 now. It's amazing for me to believe because it's so
3 high as it is now, but it was actually higher then.
4 So they carpooled in order to save money.

5 On the way there, they took Highway 65.
6 Mr. Lang was in the left-hand side of the road, in the
7 left-hand lane. He had been on the road five or 10
8 minutes and then everything decided to go wrong or
9 everything went wrong.

10 You'll hear everyone tell you, and the
11 evidence will show, that they heard a very loud noise
12 coming from the rear of the vehicle. Nobody really
13 knows what side it came from, but they heard a very
14 loud noise.

15 When that very loud noise happened, the
16 vehicle moved to the left, the evidence will show you,
17 and then the vehicle moved to the right. When the
18 vehicle moved to the right, it entered into what we
19 call a yaw.

20 And really a yaw is just a technical,
21 sophisticated name for a vehicle that got sideways
22 going down the side of the road. When the vehicle
23 exited the road, it being sideways, there was no way
24 the vehicle was ever going to remain on its all four
25 wheels. It furrowed into the ground and rolled.

1 After that the only thing that was really
2 heard, you will hear from these people, were screams
3 of fear, terror, and panic because a lot of people
4 were very badly injured. Assata Karlar, the gentleman
5 to the far left here, his wife was in that van. His
6 wife -- excuse me, Gaye Karlar. His wife Assata was
7 in the van and Assata wasn't moving. He found Assata
8 and she had no movement whatsoever. She had been
9 killed.

10 Jailah Nayou, the next person to the right,
11 took a very serious nasty blow to the head. You'll
12 hear a lot of testimony in this case about how serious
13 his head injury was. He had, and the evidence will
14 show, internal bleeding of the brain, contusions. He
15 had to literally learn to talk again.

16 Josephine Cole, the person standing --
17 excuse me, the fourth person over. Josephine had her
18 leg shattered and had her hip fractured, her hip
19 broken. Her leg now has a steel rod running through
20 it.

21 Everyone else in this accident escaped with
22 injuries, but not injuries as deep as the injuries
23 that you've just been told about. It changed
24 everyone's lives, but it didn't change everyone's life
25 as much as it changed Ivon Toe.

1 And Ivon Toe is not with us today, rather
2 Ivon's sister is here, Janet. And you can see Janet
3 on the far right. Ivon laid on the ground with no
4 pain, conscious. The reason she had no pain is
5 because Ivon had no movement from her neck down. She
6 had broken her neck.

7 You'll hear from the evidence that she was
8 saying, "I can't move. Why can't I move?" And
9 there's a reason why she couldn't move. You'll see it
10 is because she broke her neck. Ivon is now what we
11 call a quadraplegic. And not only is she a
12 quadraplegic, but she's a ventilator-dependent
13 quadraplegic. And when I say ventilator-dependent, I
14 don't mean all the time. She can breathe on her own.
15 Sometimes she has to have a ventilator to help her
16 with her breathing.

17 In the coming weeks, you're going to hear a
18 story about what happened that day. And that day the
19 accident happened over about a ten-second period,
20 literally really nothing more. However, the story
21 that you're going to hear is contained in all of these
22 boxes over here, and it's contained in all of the
23 witnesses that you're going to hear from from Cooper.

24 And that story started back in 1994. And
25 the story we're going to tell really ended in about

1 2000 when this tire was manufactured, but it continues
2 today. And the evidence will show you what that story
3 is.

4 Before I get into that story, though, let
5 me tell you kind of what we're doing here, because I
6 know this is probably very foreign to everyone. But
7 you've seen law movies, so you probably know this is
8 an opening and we get a closing and then we get
9 evidence in the middle.

10 Well, this is opening. I get a chance to
11 tell you, just like the Judge said, what I think the
12 evidence in this case is going to show and you get to
13 hold me to that evidence. You get to listen to
14 everything that I've said, and you get to see the
15 evidence later on in this case.

16 I would tell you during the case to write
17 notes down. Write down events that you think are
18 important. Write down terms that you think are
19 important. Write down names of components and tires
20 that you think are important, because we are going to
21 be going over them over and over again. And you need
22 to know what those names are in order to be able to
23 understand what was going on during the times that
24 this stuff was going on.

25 This is not the time for you to do that;

1 but as soon as I'm done talking and as soon as they
2 are done talking and we get into evidence, I suspect
3 that the Judge will probably allow you to do that.

4 You've heard me talk for a moment, so let
5 me get the pink elephant out of the room. I've got an
6 accent, I know I do. I'm not from Iowa. I actually
7 live in Texas, but I'm not from Texas. I'm actually
8 from Tennessee. My accent has gotten a whole lot
9 better, though. My mom actually gets really upset
10 with me when she talks to me because she thinks I've
11 abandoned them because my accent isn't near what it
12 used to be. She teases me about it.

13 But I'm telling you that because not only
14 do I have an accent, but I'm nervous. Everybody is
15 nervous. You guys might be nervous as jurors. I
16 don't know. But I can tell you this: I'm nervous. I
17 can tell you that Mr. Farrar is nervous, and I can
18 tell you that Mr. James is nervous. I don't know
19 about them, but we are.

20 We're not nervous for any reason other than
21 we get an opportunity to represent a fine group of
22 individuals who have an amazing story, and I don't
23 want any of the nervousness that you might see on our
24 part to reflect -- to look badly upon them.

25 Let me get back to the story. The tire in

1 this case is a Cooper Lifeliner Classic II. Later on
2 in trial, you're going to hear a whole bunch about
3 this name. I'd say that's something that you might
4 want to write down when you get a chance.

5 The tire was manufactured in late March of
6 2000. When I say "late March," it was manufactured in
7 the 13th week. Sitting here right now, I can't really
8 calculate what the 13th week is, but it's right around
9 the very end of March, first of April. That's a very
10 important date. The end of March of 2000 is a very
11 important date that you will come to see in this case
12 and that the evidence will show you.

13 The Classic II, the evidence will show you,
14 Cooper knew was having failure problems. And not only
15 the Classic II, but all of Cooper's tires because all
16 of Cooper's tires are designed with a component common
17 amongst all of them.

18 You'll see from the evidence and from all
19 of the documents that we've got, that Cooper knew well
20 before March of 2000 that its tires were failing at an
21 alarming rate. I've told you that they knew about it
22 in '95, and I'm confident that the evidence will show
23 you that and that it continued to progress to the year
24 2000.

25 Cooper figured out why their tires were

1 failing. The evidence will show you that. However,
2 the evidence is also going to show you that Cooper
3 decided not to make the changes that it needed to make
4 to its tires because of what Cooper calls in its own
5 words "cost considerations." You'll see those
6 documents, and you'll see those words in evidence in
7 this case.

8 The size of tire -- also this tire is --
9 within Cooper they have a lot of different tire lines
10 and a lot of different ways that they designate the
11 names of their tires. Lifeliner Classic II -- and
12 probably have seen a bunch of other different names --
13 this within Cooper was part of what Cooper called a
14 7th generation line of tires. It's not something that
15 you need to remember right now, but you'll hear some
16 more about it later in this case.

17 And the evidence will show you that this
18 tire was part of that 7th generation line, and that
19 that 7th generation line was also a line of tires that
20 was having an increased amount of failures even the
21 year before this tire was manufactured.

22 The age of the tire is most often -- well,
23 let me tell you this. The recommended size for this
24 tire -- or for this vehicle is 215/75R15.

25 MR. MILLER: 65.

1 MR. BALL: Excuse me, 215/65R15. Thank
2 you, Mr. Miller. The failed tire in that box is a
3 215/65R15. They are the same size. The size of this
4 tire was what was recommended for that vehicle.

5 Cooper's experts, plaintiffs, everyone
6 agrees that the size of tire on this vehicle, load
7 rating, the range of this tire, everything is what it
8 needed to be for this vehicle.

9 Now, tread depth is often the measurement
10 of how old the tire is. This tire, this Cooper
11 Lifeliner Classic II, started off with a 11/32 of
12 tread. 11/32 is a really weird measurement, but
13 that's the way tire companies in the tire industry
14 measure tread depth.

15 To be honest with you, I really don't know
16 why they measure it in thirty-seconds, but they
17 measure it in thirty-seconds. The beginning tread
18 depth for this was 11/32. Obviously, 0/32 is the
19 other side of that. This tire, when it failed, had
20 5.5/32 left on it. That's exactly half of its life
21 left in tread.

22 In Iowa 2/32, the evidence will show you,
23 is what the legal limit for what tire tread needs to
24 be. If it's under 2/32, Iowa says you need to remove
25 your tire and replace it with something else. This

1 tire had 5.5/32 on it.

2 And I wanted to get that clear because the
3 evidence will show you that probably in the very
4 initial stages, this tire had a tire warranty like all
5 tires do. The tire warranty on this vehicle -- the
6 tire warranty for this tire was 70,000 miles. This
7 tire is estimated, the evidence will show you by
8 Cooper's expert, of having around 30,000 miles on it.
9 So the warranty is not even halfway up on it. That's
10 something you'll also come to understand and the
11 evidence will show you in this case.

12 I want to talk to you a little bit about
13 the tire components. All tires that you and I drive
14 on a daily basis are what we call steel-belted radial
15 tires. Steel-belted radial tires have been around for
16 a really long time. And that's just kind of another
17 fancy word for saying that the tires are put together
18 with two belts, two pieces of rubber -- or really two
19 pieces of steel that are wires that have rubber coated
20 on top of them, cut into long pieces and then wrapped
21 around the carcass of the tire. They do that twice.
22 It's called belts.

23 Let me show you the inner workings of a
24 tire with this very basic diagram.

25 MR. SAPP: Wes, can I see what you are

1 showing?

2 MR. BALL: Sure. This is a plain-Jane
3 diagram of a tire. I'm just going to tell you what
4 the general components of the tire is. The components
5 of the tire -- I imagine no one here has ever
6 cross-sectioned a tire in order to take it apart to
7 see what's on the inside.

8 The tire begins with what we call an inner
9 liner. And that's actually not on here, but that's
10 the inside of the tire. The inner liner is the
11 portion of the tire that holds the air in, holds the
12 pressure. After the inner -- well, yeah, there it is,
13 I'm sorry, the halobutyl inner liner.

14 After that we have body plies. You're not
15 going to hear a bunch about body plies in this case,
16 but that's the first component on top of the inner
17 liner.

18 On top of the inner liner, we have what we
19 call the first belt. It's right here (indicating).
20 And then we have the second belt right here
21 (indicating). These two belts lay on top of each
22 other. They are cut to about the width inside the
23 tread of the tire. The belts are what give the tire
24 its rigidity, what maintains the tire's structure,
25 what maintains its shape.

1 On top of the belts is the tread and then,
2 obviously, we have the sidewall. The tread is
3 something that everyone sees and everyone knows and we
4 have talked about. And we can measure the tread depth
5 in certain ways. I may come back to this.

6 Throughout the entire operating life of a
7 tire, the most stress that is placed on a tire is at
8 the belt edges. The evidence will show you that
9 everyone agrees on that. And the reason why the most
10 stress is placed on the belt edges -- and when I say
11 "belt edges," the most stress is placed on the side of
12 the tire right here, on each side (indicating).
13 That's where the belts end.

14 The most stress is placed at the belt edges
15 because there's a standing wave of vibration that hits
16 the center of the tire and emanates outwards. The
17 evidence will show you that. And when that vibration
18 emanates outwards, it puts stress on the belt edges.

19 And that stress throughout the entire
20 operating life of the tire tries to pry those belts
21 apart at the belt edges. And when it gets those belts
22 apart, those belts continue to get further and further
23 apart to the point where one of the belts get thrown
24 off in a catastrophic nature.

25 That's what we had happen in this case.

1 The tire was driving down the road; there was a
2 separation between those belts. And we'll talk about
3 that. It's a separation you can't see. There's a
4 separation between those belts and then one of those
5 belts was thrown off.

6 Now, what's wrong with this tire, the tire
7 that's in that box? Can't show you that tire right
8 now because this is opening, but we're going to show
9 that tire in a little bit. But I want to talk to you
10 about what I think the evidence is going to show you
11 about that tire.

12 Cooper did not use in the manufacturing and
13 design of its tires what we call a belt wedge or a
14 nylon overlay, nylon overwrap. You'll hear this
15 thing, the nylon, called a nylon overlay or nylon
16 overwrap. There's another name for it that I'll show
17 you in a second as well, but it's all the same.

18 These are called tread separation
19 countermeasures. These are designs that you place
20 into a tire in order to counteract the stresses at the
21 sides or the edges of the belt. This is so that those
22 belts don't come apart at the edges throughout the
23 operating life of the tire.

24 There's also one other critical design
25 feature that went wrong on this tire and that is the

1 adjustment data or its separation failures down.
2 However, Cooper didn't use those BEGS in its tires
3 because it was a cost measure, and they didn't want to
4 spend that amount of money. The evidence is going to
5 show you that.

6 The second tread separation countermeasure
7 that Cooper did not use in this tire is what we call a
8 nylon overwrap. Cooper also has an internal
9 designation for a nylon overwrap called SNOW, spiral
10 nylon overwrap. These are internal Cooper names that
11 you're going to hear a lot about and the evidence is
12 going to show you in this case.

13 Cooper also did not use SNOW on any of its
14 tires, a nylon overwrap. Again, why did Cooper not
15 use these? Because Cooper didn't want to spend the
16 money to use these. The evidence and the documents
17 will back this up and we'll show you that.

18 One other thing about belt edge gum strips,
19 BEGS. In 2000 Cooper knew that it was the only tire
20 manufacturer in North America that didn't use belt
21 edge gum strips. You're going to see plenty,
22 extensive studies about belt edge gum strips that come
23 from Cooper and plenty of documents concerning belt
24 edge gum strips that come from Cooper. And the fact
25 of the matter is, they didn't use them and they knew

1 skim stock. And I'll come back to the skim stock in a
2 second.

3 Before I do, though, I want to talk to you
4 about the belt edges or about the belt wedges, shall I
5 say. Cooper calls a belt wedge BEGS. Let me explain
6 to you what a belt wedge is. Between these two belts
7 right here, there is a -- there's a design component
8 that you can put in between. And it's just another
9 piece of rubber, and it's a piece of rubber there to
10 insulate the two belts at the edges so that that
11 stress is better absorbed. If there's no belt wedge
12 there, it's difficult for that stress to dissipate in
13 a certain way without causing damage to the tire. The
14 evidence is going to show you that.

15 Cooper, the evidence will show you, has
16 quite a bit of information and knowledge about belt
17 wedges. In fact, Cooper has internal designations for
18 belt wedges, and they call them belt edge gum strips.
19 And not only do they call them belt edge gum strips,
20 but the reason why I put BEGS up there is because
21 Cooper calls belt edge gum strips BEGS. That's an
22 internal company name for what that tire component is.

23 The evidence will show you that Cooper knew
24 that BEGS, belt edge gum strips, would work to prevent
25 tread separations in tires, would work to bring its

1 they were the only people that didn't use them and the
2 only company that didn't use them.

3 Now, I told you there were three problems
4 with the design of this tire. And the third problem
5 is what we call the skim stock. Now, each component
6 within this tire is obviously made of rubber or has
7 rubber around it. It's a tire. We know that tires
8 are made out of rubber. But the components of the
9 tires -- the components within the tire are made with
10 different kinds of rubber. The tire doesn't have just
11 one type of rubber in it. The tire has got different
12 rubber around the belt edges, different rubber on the
13 tread.

14 The skim stock, Cooper calls it, is the
15 rubber that coats these belt -- these belts within the
16 tire. The skim stock holds the belts together
17 throughout the operating life of the tire.

18 You've probably picked up a rubber band
19 before. You pick up a rubber band and you try to
20 stretch it and the rubber band breaks apart. The
21 evidence is going to show you that the reason why that
22 rubber band breaks apart is because it's oxidized, the
23 rubber has, meaning it's lost its natural stretchy,
24 tacky abilities.

25 Well, when you design skim stock -- you're

1 going to hear a whole bunch about skim stock -- you
2 design it with what we call antioxidants or AO, and
3 that's a chemical.

4 Antioxidants are exactly what they sound
5 like they are. They fight off oxidation. And without
6 antioxidants, with a tire the same thing will happen
7 that happens with the rubber band. The bond between
8 those two belts will deteriorate with time because of
9 oxygen exposure. And when that bond deteriorates,
10 there's a separation that occurs between the belts, a
11 small tiny separation. We can't see it with our eyes
12 in looking at the tire. We can't see it, obviously,
13 until the tire comes apart.

14 One of the tale-tell signs, the evidence
15 will show you in this case, of a separation between
16 the tires as a result of a poor antidegradant package
17 in its skim stock is what we call fast wear or spot
18 wear.

19 Spot wear on a tire is an area of the tire
20 that wears a little faster than the surrounding area
21 of the tire. It's difficult to see sometimes, if you
22 can see it. And you'll see, and the evidence will
23 show you, that when we have a separation between those
24 belts caused by that poor skim stock, that we have
25 fast wear and we have spot wear and it happens quickly

1 too.

2 And you're going to see the spot wear on
3 the pieces of tread that came off of this tire. And
4 you're going to hear that that is tale-tell,
5 coessential signs of poor belt skim stock design.

6 Now, Cooper in its tire -- you're going to
7 hear this number, this term over and over and over
8 again in this case, 525C. That is what Cooper's
9 internal designation for the design of their skim
10 stock is for the rubber that coats these belts. They
11 call it 525C. There's been 525A. There's been 525B.
12 There's been 525C. You can finish it off. There's
13 525D. 525D came into manufacture and came into design
14 three weeks after our tire was made.

15 But there was a change notice where Cooper
16 decided to change the design of its tire from 525C to
17 525D less than a month before our tire was made. So
18 our tire was made in between the design change notices
19 and the time that they implemented it. And you're
20 going to see something about that in a little bit.

21 Cooper knew back in 1994 the first time
22 that its skim stock was having a problem. You're
23 going to hear from a lady -- you're going to hear from
24 a lady in this case, and her name is Rita Feczter. She
25 is a Cooper Tire employee. Ms. Feczter is the chemist

1 at Cooper who was taking care of designing the skim
2 stock of Cooper tires.

3 Ms. Feczter, you'll hear from her, will tell
4 you that in 1994 she performed some studies, some lab
5 studies. And in those lab studies she found out that
6 there was a problem with the Cooper skim stock. And
7 that was the first time that they really had any
8 documentary evidence that you'll see of that problem
9 with the skim stock. Skim stock didn't change in
10 1994. In fact, in 1995 Cooper began to see an
11 increase in its separations, separation-related
12 failures.

13 When Cooper began to see an increase in
14 separation-related failures, the evidence will show
15 you that Mr. Stephens, Cooper's vice president, got a
16 hold of this information. And you'll hear from him.
17 And he made recommendations about what Cooper might
18 want to do. And one of the things that he said Cooper
19 might want to do is change the design of its skim
20 stock, change the design of the antidegradant package
21 that was in that skim stock.

22 And Cooper went as far in 1996 as pricing
23 out changing that skim stock from 525C to a different
24 alternative design, but they never did it. And they
25 never did because it was just too much money for them.

1 That's what the evidence is going to show you. It was
2 too much money for what they wanted to spend, I should
3 say.

4 You'll hear -- the evidence will show that
5 in 1997, following the '96 thought in changing their
6 design, the separations continued to increase. In
7 '98 the separations continued to increase. In '98
8 there was a significant increase, as they call it, and
9 the evidence will show you. The separations continued
10 in '99 and it continued into 2000.

11 Over the past two years, I and my cocounsel
12 have had an opportunity to look at a whole bunch of
13 Cooper internal documents, and you're going to see a
14 bunch of those in this case. And we do that through a
15 process called discovery. It's basically I get to ask
16 them for things that they have that might be relevant
17 to this case, and they get to do the same with us.

18 When we did that, they produced to us a
19 whole bunch of documents. And I have -- and we have
20 poured over of tens of thousands of these documents.
21 My eyes are none the better for it, and I think I've
22 gone through two prescriptions. You're going to read
23 a whole bunch of these documents. And I want you to
24 see on the bottom of the documents that every one of
25 the documents are marked "Confidential, Attorneys'

1 Eyes only."
 2 There's one document, however, that I want
 3 to talk to you about now, and that is a document from
 4 March 13th of 2000. And this document was drafted by
 5 a gentleman by the name of Mr. D. A. Powell. And
 6 you're going to hear from Mr. Powell in this case.
 7 Mr. Powell was head of the division that took care of
 8 Cooper's skim stock. When I say "took care of,"
 9 designed it, redesigned it, did whatever they needed
 10 to do. He was the head of chemicals, in essence. And
 11 the evidence will show you that.
 12 This document written by Mr. Powell was
 13 written on March 13th of 2000. Our tire was made,
 14 remember, at the end of March. This document written
 15 on March 13th of 2000 is evidence you'll see in the
 16 case about the skim stock that we've talked a bunch
 17 about today.
 18 The evidence will show you that this was a
 19 change -- a memo that accompanied the change of the
 20 skim stock. Let's read it together. "One of the
 21 first things that they decided they need to do is
 22 increase the long-term AO protection for 525."
 23 Remember I told you 525 skim stock. 525C is the
 24 belt -- is what coats the belts. "Increase the
 25 long-term AO protection for 525. This has been

1 documented through multiple test programs over the
 2 past five years but never invoked because of cost
 3 considerations."
 4 You'll see a whole bunch more evidence in
 5 this case that talks about cost considerations. This
 6 document, the evidence will show you, was what we will
 7 understand to be known as Cooper's proof that they
 8 knew that they needed to change their skim stock five
 9 years ago. And not only did they need to change it,
 10 but they could have changed it because you can't
 11 change it unless it's done. And here it says that
 12 it's been documented through multiple test programs
 13 over the past five years.
 14 So the back side of the document, let's
 15 read together just the highlighted portion. You'll
 16 see the whole document. "As you know, one of the
 17 goals in Divisional Materials Development has been to
 18 improve performance but not increase costs." The only
 19 word in this entire document that is in all caps and
 20 bolded is the word "not."
 21 Cooper was very cognizant of how much money
 22 they spent on its tires. And the evidence will show
 23 you that it came down to a math problem for Cooper,
 24 and that Cooper didn't change this design until it had
 25 to change it. And when Cooper changed it, they were a

1 little too late because the tire that didn't include
 2 this design was manufactured three weeks after this
 3 memorandum went out.
 4 The evidence will show you that in 1999 --
 5 I take that back. This is on the first page. And,
 6 unfortunately, we've got heads in front of it. It
 7 says, "It is our responsibility to implement the
 8 change to 525D."
 9 Let's talk about the time line of the skim
 10 stock fix, what the evidence is going to show you. On
 11 March -- excuse me, February of 2000, the evidence
 12 will show you that Cooper made the decision to change
 13 its skim stock. March 13th, the Powell memo was
 14 created, the one that you just read. And that memo
 15 basically says we decided to change it, we're going to
 16 change it, here's why we haven't changed it, here's
 17 what we need to do. We just read it. You'll see
 18 more.
 19 The evidence will show in the last week of
 20 March, this tire, the failed tire, was manufactured,
 21 the tire that was on this vehicle. And then in August
 22 of 2000 our tire was made at the Texarkana plant in
 23 Texas. In the last -- in August is when 525D actually
 24 was implemented into the tires that were made at the
 25 Texarkana plant. Our failed tire was designed in

1 525C, not 525D.
 2 The evidence will show you that in 1999,
 3 almost exactly one year before our tire was made, that
 4 Cooper made the decision to form an internal task
 5 force. And the internal task force that Cooper made a
 6 decision to form, they call the Tire Durability Team.
 7 And the Tire Durability Team was given the mission and
 8 responsibility of figuring out why the tires were
 9 failing and a fix. The Tire Durability Team, you'll
 10 see, is the one that recommended that the skim stock
 11 needed to be changed.
 12 And in February of -- in February of 2000
 13 the Tire Durability Team -- February of 2000.
 14 Remember our tires were made in March. February of
 15 2000 the Tire Durability Team met. The Tire
 16 Durability Team met one year after their creation at
 17 this place called the Northridge Club off of Cooper
 18 grounds, off of the Cooper employment facility.
 19 And they invited a select amount of
 20 engineers and a select number of executives to this
 21 meeting. At the meeting they also invited a gentleman
 22 by the name of Steven Cramer, and you're going to hear
 23 from Mr. Cramer. And I think you'll probably going to
 24 hear from him as a second witness in this case.
 25 Mr. Cramer was invited to this meeting

1 because Mr. Cramer was the numbers guy. He was the
2 guy that put together reports or whatever else it may
3 have been about how many tires were separating, how
4 many tires were failing, the reasons for those
5 failures were. He would collect them and put them all
6 together.

7 And you'll see, the evidence will show you,
8 that in this meeting Mr. Cramer came to the conclusion
9 after putting all of these numbers together that
10 Cooper -- that for Cooper it was imperative that
11 Cooper improve the durability of its tires. That's
12 the evidence that you'll see and that's the evidence
13 that you'll read, those words. That was February of
14 2000. That was about two months before our tire was
15 manufactured.

16 You'll also hear about a gentleman by the
17 name of Mark Panning in this case. He's probably
18 going to be showing up on one of these screens, not
19 here live. Mr. Panning, the evidence will show you,
20 in January before our tire was manufactured, issued a
21 memorandum to a few select people at Cooper. And in
22 that memorandum his conclusion was that the Classic II
23 tire line, we are seeing an increase in separations in
24 the Classic II tire line. However, the Classic II
25 tire line was continued to be made. It was still

1 made, shall I say, continued on being made. No design
2 changes were made. And our tire was made at the end
3 of March.

4 You're going to hear a whole bunch of
5 evidence in this case that comes from documents. The
6 documents are written for a reason. The words on the
7 documents are there for a reason. They are written
8 between engineers. They were written between
9 executives. They were not written, as you will see,
10 in the litigation context. They were written between
11 people who were trying to find a fix and a solution to
12 the problem.

13 When you read these documents, I'll caution
14 you to read the words on the page, read the documents.
15 Don't allow additional information to come in that's
16 not on those documents. Those documents tell the
17 story. And you'll see from the evidence that the
18 story is very detailed within these documents.

19 I anticipate that you'll hear witnesses for
20 Cooper testify that all of these design changes that
21 I've talked about were made in an effort to improve --
22 continuously improve or continuous improvement of
23 Cooper's tire lines.

24 The evidence will show you that Cooper uses
25 the word "problem" from 1996 on, that Cooper uses the

1 word "problem." We had a problem with our tires, a
2 problem with the tread separation.

3 The evidence will show you that problems
4 aren't improved upon, problems are fixed. Problems
5 you find a solution to. That's why the Tire
6 Durability Team was created. They weren't created to
7 make improvements. They were created to fix it.
8 Without one or all three of these designs that I've
9 talked to you about, a tire is in a very weakened
10 state, very, very weakened state.

11 Now, will every single tire fail that
12 doesn't have the 525D skim stock or belts or -- excuse
13 me, or belt edges or nylon? No. Every tire is not
14 going to fail. Every single tire is not going to
15 fail, by any means. And we're not going to tell you
16 that every single tire will fail. But the tires will
17 fail when there is an anomaly in the manufacture of
18 the tire or when there's something else wrong with the
19 tire during the manufacturing process because the tire
20 simply isn't strong enough to withstand something else
21 that's wrong with the tire.

22 You'll come to understand that tires, by
23 and large part, are really a handmade product at
24 Cooper, that there's a number of people that touch the
25 tires. Now, all of these employees use tire building

1 machines and they use different kinds of tools, but by
2 and all -- by and large, they are handmade. And they
3 are inspected with eyes, not with machines.

4 And during the manufacturing process, some
5 of the tires are manufactured improperly. You'll hear
6 from Cooper witnesses who will actually tell you, you
7 know, from time to time there's a tire that gets out
8 that's not in the perfect condition. It is defective
9 and it makes it into the consumer's hands.

10 When one of those tires get out that is
11 defective or it isn't first rate or first quality and
12 you combine that with the already-weak design,
13 catastrophe happens. And not every single time a tire
14 fails is it going to cause what was caused. But when
15 it gets out and you place the people who are riding on
16 those tires in that situation, it can happen and it
17 will happen from time to time, as the evidence will
18 show you.

19 THE COURT: Counsel, will you approach.

20 MR. BALL: Yes.

21 (Discussion was held at the bench between
22 the Court and counsel.)

23 THE COURT: We are going to take a brief
24 recess. We'll be back in probably five minutes. If
25 you all will go to the jury room. It has nothing to

1 do with anything that counsel did, so I don't want you
 2 to blame him. He's not in trouble, not yet anyway.
 3 Neither one of them are -- or none of them are. So
 4 we'll take a brief recess, and we'll be back in here
 5 in about five minutes.
 6 (The jury was excused from the courtroom.)
 7 (The following record was made out of the
 8 presence of the jury.)
 9 THE COURT: On the record. My court
 10 attendant got a call from the hospital from
 11 Mr. Parmentier's wife that they were taking her father
 12 off the ventilator and she was very concerned and
 13 wanted him there. And so we have now excused him for
 14 cause. And counsel has agreed with me this is what
 15 happens. Does anybody have anything further that they
 16 want to add?
 17 MR. JAMES: No.
 18 MR. BALL: Agreed, Your Honor.
 19 MR. MILLER: No, Your Honor.
 20 THE COURT: Now five minutes.
 21 (A recess was taken.)
 22 (The following record was made in the
 23 presence of the jury.)
 24 THE COURT: Unfortunately, we had to
 25 dismiss Mr. Parmentier because he had a family

1 emergency, his wife called. And we're sorry for that,
 2 but we are going to continue.
 3 MR. BALL: May I, Your Honor?
 4 THE COURT: You may.
 5 MR. BALL: Thank you. It's kind of -- it's
 6 definitely not a wanted break, but you get a break in
 7 between what I'm saying. So everyone gets to move
 8 around and pump their blood a little bit and, hey, you
 9 might actually be able to stay with me a little bit.
 10 I know this is not extremely exciting
 11 stuff, by any means, but I appreciate the attention
 12 that you are paying because I can see it in everyone's
 13 eyes and I know my clients are very appreciative of
 14 your attention.
 15 I just finished talking to you about the
 16 fact that when you have a tire that's designed, the
 17 evidence will show you, without these tread separation
 18 countermeasures or at least one of these tread
 19 separation countermeasures, that when you include that
 20 tire design with the manufacturer's defect, well
 21 catastrophe can sometimes result.
 22 Now, I want to talk to you about what the
 23 evidence is going to show you concerning the
 24 manufacturing defects in this tire. We've heard a
 25 little bit in voir dire about manufacturing and things

1 of that nature. I want to tell you what the
 2 manufacture of this tire shows.
 3 One of the manufacturing defects in this
 4 tire is what we call a dog-eared splice. Again, I
 5 don't have a car, so it's very difficult for me to
 6 have you visualize everything, but I'm going to do
 7 everything that I can.
 8 But a dog-eared splice -- the tire is round
 9 like that. The belts of the tire, when they are put
 10 on, they are put around a drum, a big round drum. It
 11 rolls the belt around these drums and it will roll the
 12 other belt on top of that drum and then the tread will
 13 roll around those two belts.
 14 When the tread rolls around, it comes to
 15 form this, forms a circle. And then at the edges,
 16 when the tire actually goes together -- and maybe
 17 you'll see some of this. When the tire goes together,
 18 it actually pushes it together and then you've got the
 19 kind of tire that we've got there.
 20 However, like I said, tires at Cooper, they
 21 are fairly a hands-on process. And the machine will
 22 throw the belt down. But when the belt is thrown
 23 down, they have to -- when it rolls around, they have
 24 to situate that belt onto that tire carcass and then
 25 they have to situate the next belt on that tire

1 carcass.
 2 And a dog-eared splice is what Cooper calls
 3 this. And that basically means when the belt rolls
 4 around and comes on that drum, there's a tolerance.
 5 The tolerance is really just how much something can be
 6 off or how much something can't be off. And if it's
 7 off more than that, then you have to scrap it, you
 8 have to throw it away.
 9 Cooper's got tolerances, and the evidence
 10 is going to show you, for the placement of the belts
 11 and how far off-center -- how far off-center the
 12 placement of that belt can be. So if that belt rolls
 13 around and it's placed like that off-center, it
 14 creates what we call a dog-eared splice because you
 15 have this on the side of the belt. The belt sticks
 16 out further on one side than it should, so it doesn't
 17 come together in a very fluid nature.
 18 And Cooper has called that a dog-eared
 19 splice. Really the industry has called it that, but
 20 Cooper also calls it a dog-eared splice. And the
 21 tolerance for that is, and the evidence will show you,
 22 is .050 inches, which is about 1.27 millimeters. It
 23 sounds like a really small measurement and it is.
 24 It's a very small measurement.
 25 However, a real small measurement is

1 something that really matters when you are talking
2 about it happening at the belt edges because, remember
3 I told you, the evidence is going to say or the
4 evidence is going to tell you that the highest stress
5 areas of a tire are at the tire's belt edges.

6 So when you got the tolerance exceeded, the
7 evidence will show you, by more than .050,
8 1.27 millimeters, you have a tire -- and the documents
9 will show you from Cooper -- that will fail or can
10 fail by tread separation. They use those words. They
11 use the -- the belts will separate. You'll see that.

12 Our tire, the failed tire, has a dog-eared
13 splice in it, or that belt that's off. It's all in
14 our tire. You'll see the X rays. We'll talk to you
15 about the X rays for a second.

16 The belts are steel. They are made out of
17 steel. So you can take an X ray -- kind of like your
18 arm. You can take an X ray of the tire and see where
19 those belts in the tire are. There's only really --
20 because there's actually pieces of this second belt on
21 this tire. But the whole first belt is still on. And
22 you can see where that first belt is placed in that
23 tire. And you can see where the edges of that steel
24 meets in this tire, and you'll be able to see the
25 edges where that steel meets in that tire.

1 The evidence will show you that it is off
2 by 0.62 or 1.57. And that is a difference from what
3 Cooper's standard is. See, Cooper says it can be off,
4 and the evidence will show you that it can be off by
5 1.27 millimeters. It's off by 1.57, though.

6 This is something that within Cooper you'll
7 hear that their tire builders are the people that put
8 these belts on. It's not machine-automated. They
9 actually put the belt on and put it in place. When
10 they did that, they failed to put it within the
11 tolerance that Cooper has. And that's what the
12 evidence is going to show you.

13 When you combine that with an already-weak
14 tire because it doesn't have the countermeasures that
15 it needs to have -- because the evidence will show you
16 that Cooper wanted to save money for cost
17 considerations -- then you have a tire that's
18 susceptible for what we call a late-life failure.
19 It's not going to separate the moment --

20 Now, the evidence is going to show you that
21 if the dog ear is off enough, yeah, it will separate
22 right out of the factory. I think everybody in this
23 case will agree that if you just haywire something in
24 a tire, it's probably going to separate fairly
25 quickly. A small anomaly like this isn't going to

1 separate immediately. It's not going to cause a tire
2 to fail immediately, and the evidence will show you
3 that.

4 However, it will cause what we call a
5 late-life failure. And a lot of the Cooper documents
6 you're going to read are going to talk about late-life
7 failures. And this tire had 5.5/32 of tread left on
8 it, about 30,000 miles. It's not past 50 percent
9 worn, but it is a later life failure. It's something
10 that needs to develop over a period of time before the
11 tire will actually fail. And the evidence will show
12 you that's what happened.

13 Let's talk about the other manufacturing
14 defect that the evidence is going to show you in this
15 case. There's another piece in this tire that we've
16 talked about and it's the inner liner. You see here
17 the halobutyl inner liner. That's the inside of the
18 tire. That's the portion of the tire I think I told
19 you earlier that holds the air pressure into the tire.

20 And that liner is designed with a different
21 type of rubber. We're not saying that the rubber is
22 defective. We're not saying that the rubber is
23 something that shouldn't have been in there, because
24 the rubber is designed to a specification that permits
25 the least amount of escape of oxygen from that tire.

1 Obviously, it has to keep the tire pressurized, so it
2 does that.

3 But even when the tire -- even when that
4 inner liner keeps the tire pressurized, you have to
5 make sure that the chemical composition of that rubber
6 is such that there's still not an influx of oxygen
7 through that rubber and into the internal components
8 of the tire.

9 You're going to hear one of their experts
10 talk about a phenomenon called intracarcass
11 pressurization. And that's just a funny name for the
12 internal components of the tire in between the inner
13 liner and the tread pressurized because there was air
14 that was seeping in through it. He's got to have a
15 different reason as to why he thinks that air came
16 into the internal components of the tire.

17 The manufacturing defect that we're going
18 to talk about, however, and the evidence is going to
19 show with regard to that inner liner concerns the
20 inner liner splice. And that's just a technical word
21 for saying when the inner liner is run around that
22 drum and put together, it overlaps a little bit and
23 then they stitch it. They stitch it together to where
24 air can't escape from it.

25 Now, the evidence will show you that to

1 cure the tire, they put it in really hot heat and they
2 add pressurization to it and steam and that molds all
3 of the parts together. But before they do that, they
4 stitch that inner liner together. And if the inner
5 liner isn't stitched together properly at -- that
6 stitch we call the splice, if the inner liner isn't
7 stitched or spliced together properly, we have what we
8 call a phenomenon, which is an open inner liner
9 splice. We're going to show you this.

10 And on this tire we're going to show you
11 pictures of this open inner liner splice. Maybe you
12 can stick your head into it at some point during the
13 trial. It's going to be kind of hard for you to see
14 because it's dark. Maybe we will have some
15 flashlights.

16 But the pictures are going to show you that
17 that inner liner splice that runs on the inside of
18 that tire -- you'll be able to see it well too -- is
19 open. It's open a little bit. And it's open such
20 that it allows for an excessive escape of the
21 oxygenated inflationary gases in the tire to get into
22 the internal structures of the tire.

23 Remember earlier I told you about skim
24 stock? I said oxidation. Skim stock or the rubber
25 oxidizes. Pick up a rubber band. You remember how it

1 breaks it apart? It's because it's oxidized. When
2 you allow for an excessive escape of oxygen into the
3 internal structures of the tire, the evidence is going
4 to show you that it oxidizes. It oxidizes that
5 rubber.

6 And, again, it doesn't make it fail
7 immediately because it takes time for rubber to
8 oxidize, but it will fail in what we call a late-life
9 failure. You're going to hear -- again, you're going
10 to hear a lot about that in this case. So that's the
11 other manufacturing defect, the inner liner splice.

12 As you can imagine, obviously there's other
13 tires on this vehicle. It didn't just have one. It
14 wasn't an untire vehicle. One of the other tires on
15 the vehicle was a Cooper Lifeliner Classic II,
16 manufactured at exactly the same time this tire was
17 manufactured, the same size, same everything,
18 manufactured of the same design.

19 And you're going to see in that car that
20 that tire, too, exhibits an open inner liner splice.
21 And the evidence is going to show you it's even worse
22 on that tire than it is on this one. Now, that tire
23 didn't fail. But we did something on that tire to
24 find out if it was going to fail.

25 And what we did, and what the evidence is

1 going to show you, is that we did this thing called
2 shearography. S-h-e-a-r, I think, o-g-r-a-p-h-y. And
3 with shearography, what you do is you kind of x-ray a
4 tire. It's not really an X ray. You use a machine to
5 look on the -- to look at the inside of the tire. And
6 the machine shows you where there are air pockets,
7 basically, within the tire.

8 And those air pockets are where you would
9 see a separation between the belts, because you can't
10 have a separation unless you have air in between
11 something. You can't have anything separated unless
12 you've got something in between. If it's together,
13 it's together. If not, it's separated. And when it
14 separates, it's got air in between it and you can see
15 the air. And you're going to be able to know and see
16 from the evidence that this shearography will show you
17 that there was separations in that tire.

18 And the evidence that you will hear about
19 that tire from the expert that plaintiffs are going to
20 talk to is that that tire really didn't have much
21 longer to live either, that that tire was probably
22 going to fail. Now, would it have failed? Who knows.
23 But it was in that position to fail and it probably
24 would have, as the evidence will show.

25 I want to talk to you a minute about what

1 the evidence is going to show you from Cooper's side
2 and what I believe they are probably going to talk to
3 you about. Cooper is not going to tell you that this
4 tire failed from any sort of defect, not going to tell
5 you that it had an open inner liner splice. They
6 aren't going to tell you that it had dog-eared
7 splices. They aren't going to tell you any of that.
8 I anticipate that Cooper -- the evidence that will
9 come in from Cooper will be that they believe this
10 tire failed from what they call impact damage.

11 Let me tell you a little bit about impact
12 damage. The evidence is going to show you that they
13 believe that this tire at some point ran over a rock,
14 a pothole, a piece of lumber, something; and that when
15 it ran over that board or pothole or piece of lumber,
16 that it created a separation between those two belts,
17 a separation that we've already talked about. And
18 that that separation took time, hundreds and thousands
19 of miles to develop. And as it developed, the tire
20 got worse and worse and that at some point the tire
21 failed in the exact same way that we say it failed.
22 They just say it failed for a different reason.

23 Their evidence is going to support what
24 they say -- or they are going to try to get it to
25 support what they say. What I want you to remember

1 when you see this evidence is what the impact theory
2 doesn't tell you. You're going to hear plaintiffs
3 refer to this theory as a "phantom impact" theory.
4 Let me tell you why.

5 The evidence is going to show you this
6 theory that they have. Cooper doesn't know, their
7 evidence is not going to tell you what impacted the
8 car, the size of what impacted the tire, when it
9 impacted the tire. And the evidence also won't tell
10 you where the tire was impacted, except for boiling it
11 down to a 12-square-inch area on the tire. Anywhere
12 within that 12-square-inch area, we'll know.

13 And the evidence is also going to show you
14 that their expert believes that the best evidence of
15 this impact theory is the one piece of tread that's
16 missing. That piece of tread would have shown us that
17 there was impact to the tire that would have caused
18 this accident. There's another little piece that he's
19 going to show you on the tire, but he says the best
20 evidence of that impact is the piece that isn't there.

21 Let me tell you something else about the
22 tire. I know that you heard a little bit about nails,
23 punctures, and things like that. Well, this tire
24 has -- we'll show it to you. It's got a little piece
25 of something in it, small, like that big (indicating).

1 It's really small, so small that most people couldn't
2 see it until you saw the X ray, because an X ray picks
3 up steel. And this little piece of metal object goes
4 through the tread into the inner liner. And no one
5 knows what it is. No one knows if it's a little
6 finishing nail. No one knows if it's a staple. No
7 one knows what it is.

8 But what's important about this, though, is
9 that the evidence will show you from Cooper's side
10 that their expert is of the belief that this little
11 piece of metal object in the tire, had it not been for
12 the impact damage, wouldn't have never caused the tire
13 to fail. And that's going to be the most important
14 aspect of that piece of steel in the tire, that he
15 believes it would have never caused the tire failure.

16 In fact, the evidence from that side will
17 be that their expert believes that had this tire just
18 kept going, had it not had this impact, that the tire
19 would have just kept going and ran out of its tread
20 life. But it's the impact ultimately -- the evidence
21 is going to try to show you from their side is that
22 it's the impact that caused this. Remember what we
23 don't see about that impact.

24 Cooper might also tell you that this tire
25 has been ran in what we call an underinflated state.

1 You probably heard a lot in voir dire about pressure
2 on your tires and maintaining it and that. Some
3 people said I check my tires once every three months.
4 Some people said I check my tires once every month,
5 every week. There was a bunch of different thoughts
6 on when you check them and when you don't.

7 The evidence is going to show that the
8 government has run studies and that more than fourth
9 of the tires out there are underinflated, that it's
10 not an uncommon thing. We know that a fourth of the
11 tires on the road don't fail, and the evidence is
12 going to show you that.

13 But what's important about underinflation
14 is that their expert believes that it really doesn't
15 have a lot of evidence of underinflation. He's of the
16 belief that it has some evidence of underinflation,
17 and we are going to talk about that evidence because
18 we don't believe that this evidence is the same as
19 his.

20 However -- again, the evidence really that
21 matters on this and that you're going to hear is that
22 he believes had this tire not been impacted, that that
23 underinflation would have never caused the tire to
24 fail. So it boils down again to the impact damage,
25 the phantom impact, and what that impact does not

1 show.

2 I suspect in this case there's going to be
3 evidence introduced against Mr. Lang, against what
4 Mr. Lang did in driving his vehicle at the time the
5 tread came off of the vehicle, slapped the inside of
6 the wheel, stayed on it a little while and lost air at
7 the same time, what his reaction to that was, and how
8 that reaction may have been bad or may have been good.

9 Cooper is going to introduce evidence from
10 one of their experts. And the evidence from this
11 expert is going to be that he believes one hundred
12 percent of the time, one hundred percent of the time,
13 ten out ten, that if you have a tread separation, the
14 vehicle never has forces exerted on it strong enough
15 to cause the vehicle to leave the road, a hundred
16 percent of the time.

17 Now, what's important about that, and the
18 evidence that you're going to see, is that this expert
19 conducted this video that you're going to see. He
20 conducted this testing where he got in a car and they
21 made a tire to where they knew when that tire was
22 going to fail and then that tire failed at a certain
23 speed.

24 The evidence is going to show you -- even
25 though the expert believes a hundred percent of the

1 time -- the vehicle force does not cause the vehicle
2 to come off the road, even though that's the way it
3 is.

4 He put outriggers on his car. Outriggers
5 are something that keeps the car from turning over.
6 He put outriggers on the car during the testing. He
7 modified the seat belt to include a five-point seat
8 belt harness, baby -- you know, basically a baby seat
9 and put a helmet on.

10 When you hear that evidence, ask yourself:
11 If it never fails, if it never brings a vehicle off
12 the side of the road, why in the heck do you need to
13 have all of those different types of safety measures?

14 That guy is also a professional race car
15 driver. He knew when the failure was coming. The
16 evidence will show you that he knew when it was
17 coming. That not only did he know it was coming, the
18 evidence is going to show you that he has a trigger on
19 his steering wheel where he pulls a trigger and a
20 shotgun at the back of the tire blows the air out of
21 it. So the guy knew it was coming, the evidence will
22 show you, and he knew about what speed it was coming.

23 However, the evidence from them is going to
24 say that no one should have ever lost control of the
25 vehicle based on what the vehicle forces were.

1 You're also going to hear on that evidence
2 that's introduced by the parties in this matter about
3 a governmental study. And it's called NADS. I'm not
4 going to go into it very much. But the evidence of
5 that study is going to show you that the government
6 went out and tried to determine -- conduct a study to
7 try to determine how many times or what's the
8 percentage of times that someone loses control of
9 their vehicle in a tread separation incident.

10 And the government did this study by
11 putting these people in a simulator and not telling
12 them what they are going to be seeing in that
13 simulator. Like a real race car driver simulator, not
14 telling him what's going to happen. Just get in there
15 and drive, if you would.

16 He got in there and drove. And then with
17 some of the people, they simulated a tread separation
18 event. And the governmental study will tell you that
19 more than 50 percent of the people lost control. Not
20 everyone. Not 90 percent, not 99 percent, but more
21 than 50 percent of the people lost control. More
22 likely than not that you'll lose control in a tread
23 separation incident.

24 What's also not taken into account, though,
25 is when someone does lose control, it doesn't always

1 end in an accident. Maybe they didn't get sideways on
2 the road, maybe they didn't get off the side of the
3 road. The evidence will show you that even the times
4 that aren't reported when someone has one of these
5 tread separations or a bunch of times aren't reported
6 when someone has one of these tread separations is
7 because there's nothing to report.

8 And speaking of the government, you heard
9 some questions in voir dire about government
10 standards. The government has certain standards which
11 you're going to hear about in this case about how
12 tires should be designed, how tires should be
13 manufactured. And you're going to hear over and over
14 and over and over again in this case that the Cooper
15 tire in this case met the governmental standards, met
16 the governmental standards, met the governmental
17 standards.

18 The governmental standards -- the beginning
19 of the governmental standards say that these are
20 minimum standards. These are standards that can be
21 exceeded. They are minimum standards, and they do not
22 certify the safety of each individual tire that's put
23 on the road.

24 The evidence will show you that Cooper
25 needs only to pass a few of these tests with a few of

1 its tires and it can develop an entire line and make
2 millions of tires off of that one design. The
3 evidence will show you, certify each single tire
4 that's put on the road.

5 Our government, if you haven't noticed
6 lately, has its hands full with quite a bit of stuff
7 going on: Financial, God knows what else, everything
8 that we hear about in the news.

9 The governmental standards are meant to
10 place a mechanism into place to aid in the safety
11 process, not to regulate and to make sure that
12 everything that goes on the road is safe. It's not
13 the FDA, to where everything goes under a different
14 standard. It's the FMVSS part of the government. And
15 we'll talk about what that is, but it's the
16 government.

17 Listen to the evidence in the case. More
18 importantly, read the evidence in the case. Read the
19 words that you see on the paper. These people to your
20 right have undergone life-changing events more so than
21 any of us would probably ever understand.

22 And the evidence that's going to come from
23 each one of them will be their own testimony and their
24 own medical records. And you're going to see what's
25 wrong with them. And you're going to have a chance to

1 evaluate them. And you're going to have a chance to
2 hear every single one of them talk about it and talk
3 about the problems that happened as a result of it and
4 the problems that occurred in the past. You're going
5 to have a chance to evaluate each one of them.

6 Gaye Karlar lost his wife of 14 years.
7 They have four children, aging in ranges -- excuse me,
8 five children, aging in ranges of four to 14. He's
9 now a single father. He's taking care of everyone.
10 He lost his confidant, someone that came over to
11 escape the atrocities that they were going through to
12 be with him and is no longer with him.

13 Jailah Nayou -- again, I told you Jailah
14 had a fairly extensive head injury. You're going to
15 see evidence about that. He had to learn how to
16 basically walk and talk again. He's getting along a
17 lot better today. He's going to tell you that he's
18 making strides every single day. He's making strides
19 every single month, and he makes strides every half
20 year and year. It's been two years since that
21 happened. He's telling you that he's going to
22 continue on, and he's going to make everything as good
23 as he possibly can. You're going to hear that.

24 Josephine Cole. That's Josephine. That's
25 Jailah's wife beside him, by the way, in the green.

1 Josephine Cole had a fractured hip and a very bad leg
2 injury, we'll put it at that. The evidence will show
3 you really how bad that leg injury is. She now has a
4 steel beam or steel rod or whatever you want to call
5 it through her leg. Josephine was a laborer before
6 this.

7 Probably comes to no surprise that -- well,
8 first off, every one of these individuals speak
9 English. Most of them were raised speaking English.
10 When you talk to them, you think they are speaking
11 French or some other type of language because the
12 dialect -- maybe it's dialect. The way that they
13 learned English was so different than the way we
14 learned English. I don't know if any of you guys have
15 ever talked to someone deep from Scotland or Ireland.
16 Heck, I can't figure out half of what they are saying
17 some of the times. This is really just the same
18 thing, just on a different part of the world.

19 A couple of them may speak through
20 translators because they also speak a couple other
21 different languages, something every other country
22 learns except for ours. But if they do, they are
23 going to tell you about what they have been through,
24 what they are going through now, and how it's affected
25 them.

1 But going back to Josephine, Josephine was
2 a laborer. It's hard for any of these individuals to
3 find a job when they can't do labor work and they
4 can't speak the language. So Josephine has decided
5 and is doing school right now. She's in school to
6 master the English language. She wants to get an
7 administrative job or become a secretary or something
8 of that nature to where she can have sedentary work,
9 where she can sit down and do what she needs to do
10 without having to work at the Swift Meat Company.

11 You'll hear her tell you that she, too, is
12 trying to make the best out of this. She is trying to
13 do everything that she can to get back in the same
14 position that she was in before. She is still living
15 the American dream.

16 And when you talk to her and you hear about
17 this evidence when she first got the letter on the
18 official seal of the United States that said she could
19 come to America, you're going to see some eyes light
20 up bigger than you have ever seen in your entire life.
21 She's still living the dream. And she still wants to
22 make the best out of this situation and she is. And
23 she'll tell you that.

24 Sekou Jai. Sekou Jai's body is very
25 brittle. He's had a very hard life and he's going to

1 tell you that. This accident has taken a very large
2 toll on him. Sekou was very lucky. He wasn't injured
3 near as bad as everyone else was. He walks with a
4 cane now, but his injuries weren't near as bad as
5 everyone else's. And you're not going to hear him
6 come in and say that he has the worst injuries on the
7 face of the earth. He's not going to tell you that
8 everything is bad and he's never going to work again
9 because he is. He's going to tell you that he's going
10 to work again. He's going to tell you that for the
11 last two years that he's wanted to work, that he's had
12 that independence taken away from him and he will do
13 it again one of these days. But it has taken a large
14 toll on his life, and you're going to hear that from
15 him.

16 Achol Mawien lives with her husband here.
17 Achol was injured in the accident as well. Achol's
18 injuries in the accident include various different
19 things that you are going to hear about. I'm not
20 going to go into a lot of the medical records right
21 now, but she has been affected greatly by this
22 accident as well.

23 You're also not going to hear from Achol --
24 not going to hear testimony from her that says she
25 can't work anymore and she can't do anything or that

1 this has just absolutely and utterly put her behind
2 the eight ball to where she'll never get out of it
3 again. She, too, is living the American dream, and
4 she is going to remember what it was like living where
5 she used to live.

6 And that brings me is Ivon Toe. Ivon is
7 not with us, as you know. Ivon is 38 years old. Ivon
8 has two children in the United States. And she is
9 what we call a quadraplegic, ventilator-dependent.
10 Her sister is in the room, Janet. Janet cares for her
11 sister all the time. And Janet cares for all of
12 Ivon's children and Janet's children.

13 Ivon is a prisoner literally in her own
14 body. That's what you're going to hear. Ivon is a
15 prisoner in her own body and life in general serves as
16 her own personal jailer. Ivon's mind is sharp.
17 You're going to hear about that. Sometimes, the
18 evidence will also show you, that's one of the worst
19 things about it because she is trapped. She can't do
20 anything about it and never will be able to do
21 anything about it.

22 Ivon wants to do -- and you'll hear from
23 her. She wants to do everything that everybody in
24 here can do: Got up this morning and kissed your
25 kids, took a shower, ate breakfast, flip the

1 newspapers, maybe got some ink on your fingers. She
2 wants every single bit of that. And the evidence is
3 going to show you that she's never going to have it
4 again, ever, ever going to have it again. It was
5 taken away from her. All Ivon wants to do is come
6 home, that's it. That's all she wants to do.

7 The evidence will show you that Ivon right
8 now lives at a place called Norwalk Nursing Facility.
9 She's going to be the first person to tell you she
10 likes it there. Well, they treat her well there.
11 It's a good place. She is so sad there because she's
12 not with her family.

13 Her sister, Janet, takes care of her kids.
14 Her kids every day come home to Janet. They don't
15 come home to her. Every day her kids -- her children
16 ask Janet for permission to go play with their
17 friends, ask Janet if they can go to this place or
18 that place, can I go to the arcade today, can I do
19 that today. They don't ask Ivon because they can't,
20 because Ivon doesn't live with them. All Ivon wants
21 to do, you'll hear, is to come home, that's it.

22 You'll hear that Ivon can't come home until
23 she has the financial freedom to do that, that Ivon is
24 a long way from home right now. Even though she's on
25 the other side of Des Moines, she's a long way from

1 home.

2 You'll hear from a gentleman in this case
3 by the name of Dr. Lichtblau. Dr. Lichtblau is a
4 doctor from Florida. And in Florida he runs a
5 rehabilitation center. And the rehabilitation center
6 is primarily concerned with the care for spinal cord
7 injury, paraplegic, paralysis people and catastrophic
8 brain injury people.

9 You'll hear evidence from Dr. Lichtblau
10 that he has taken care of these types of injuries and
11 these people for going on 25 years. He has seen them
12 on a daily basis every single day, lived in the same
13 facilities that they are living in. He has literally
14 lived -- tried to figure out what everyone goes
15 through so his care can be the best care. And you're
16 going to hear from him, to tell you all of this stuff.

17 Dr. Lichtblau is going to come to this case
18 and sit in that chair, and he's going to tell you what
19 it will take in order for Ivon to come home and what
20 that consists of. And I'm going to tell you right
21 now, that is not a small amount of money. In fact, it
22 is a large, large amount of money. It's a large
23 amount of money for a couple different reasons and
24 you're going to hear why.

25 And he's going to come in here and tell you

1 about every single thing that she needs, and he's
2 going to justify every single expense to you. And
3 he's going to tell you everything that she can have,
4 she should have. And he's going to tell you all of
5 those things that she can have, things that will make
6 her life better, whether it's a little bit or a lot
7 better. Anything that will make her life better he's
8 going to tell you what it is.

9 And he puts together, and the evidence will
10 show you, this big life care plan. And he'll come in
11 and talk about it. And then the evidence is going to
12 show you that somebody else went and took that life
13 care plan together, and they came up with a number
14 from the life care plan about how much it was going to
15 cost to do all of this stuff that he says needs to be
16 done and to improve her living to get her home.

17 And you're going to see from that plan that
18 our houses aren't made and aren't built for people in
19 Ivon's condition. They have to all be modified. And
20 you're also going to hear from the evidence that he's
21 going to give you that Ivon has to have a house. She
22 can't come back to an apartment, she can't do it, not
23 even the bottom-floor apartment because it has to be
24 modified. She's on a ventilator.

25 He's also going to tell you, and the

1 evidence is going to show, that our cars aren't made
2 for individuals with this type of injury or this type
3 of state, that really nothing that you and I encounter
4 on a daily basis, he'll tell you, is made for her and
5 that it all has to be modified. And he's going to
6 tell you what all of those modifications justify, all
7 of those expenses to you about what needs to happen in
8 order for Ivon to come home and be with her children.

9 Dr. Lichtblau is going to tell you, and the
10 evidence is going to show, that Ivon will need 24-hour
11 care for the rest of her life, that she's going to
12 need constant nurse and constant doctor care.
13 Dr. Lichtblau's -- and by the way, that's really the
14 tip of the iceberg. You're going to hear about the
15 whole iceberg, but that's the tip of the iceberg that
16 the evidence is going to show you.

17 Dr. Lichtblau's life care plan, the
18 evidence will show, leaves nothing astray and nothing
19 to chance, nothing. He is of the opinion, and the
20 evidence will show, that Ivon could live for upwards
21 of another 40 years. And the evidence will show that
22 this is based on statistics and is based on how long
23 somebody has lived before. So it's not something
24 that's pulled out of the air. The evidence will show
25 you that.

1 There's medians in those statistics that
2 the evidence will show: 20 years, 16.1 years, 21.3
3 years. There's medians in there. But Dr. Lichtblau's
4 plan, you'll see, leaves nothing to chance.

5 Dr. Lichtblau will tell you, and the
6 evidence will show, that proper planning means that
7 you have to prepare for the worst. And this is the
8 opposite of that situation. You've got to prepare for
9 the best because if you don't prepare for the best,
10 then if the best happens, you're out of luck.

11 If you guess and leave it up to chance how
12 long somebody is going to live based on how much
13 medical care they have got to have every year, then if
14 they don't live -- if they live longer than you think
15 they are going to live, well, money runs out. And the
16 evidence is going to show you that.

17 No one's got a crystal ball. No one is
18 saying they've got a crystal ball. No one knows how
19 long Ivon Toe is going to live. The evidence will be
20 very clear on that. No one knows how much longer
21 she's going to live. The only people that know how
22 much longer Ivon is going to live is the Lord himself.
23 And I don't plan on calling him to testify in this
24 trial. And I don't think I can get His Son here
25 either. I've tried a couple of times, free advice

1 that is. They are the only people who knows that.

2 And Lichtblau will tell you that you're
3 going to have to plan for the best. And hopefully
4 she's been put on this earth for an alternate reason.
5 It's a deviation from what she thought her life would
6 be, but hopefully it's something that's going to help
7 a lot of people.

8 I appreciate the time and the attention
9 that each one of you have given me today, because I've
10 seen it on each one of your eyes. I appreciate it.
11 And I hope you give us the same attention throughout
12 this trial and listen to every single thing that we
13 say, everything that Cooper says too. This is an
14 invaluable service. Thank you so much.

15 THE COURT: I think we'll take about a
16 15-minute break, and then we will allow the defendant
17 to give their closing arguments. So we'll be in
18 recess until about quarter after three.

19 MR. JAMES: You mean opening statement?

20 THE COURT: I meant opening statement, yes.
21 Remember the admonition. Don't talk about the case
22 with each other or anyone else.

23 (A recess was taken.)

24 (The following record was made in the
25 presence of the jury.)

1 THE COURT: Be seated. Folks, are you
2 taking notes now? Okay. You can't take notes during
3 the openings because it's not evidence. We'll give
4 you -- so if you want to drop that on the floor beside
5 you, I would appreciate it. You can take notes during
6 the evidence. And we will supply notebooks to you,
7 okay?

8 You can proceed, Counsel.

9 MR. MILLER: Thank you, Your Honor. Ladies
10 and gentlemen of the jury, my name is Terry Miller. I
11 haven't had an opportunity to talk to you yet and
12 don't blanch. I know that lawyers have done a lot of
13 talking to you. I'm not going to do a whole lot of
14 talking to you, but I am going to do a little bit.

15 And I'm going to make a couple of caveats.
16 I heard what the very charming gentleman from Texas
17 said about their enduring accents. I don't have an
18 enduring accent, but I'm probably the oldest lawyer in
19 the courtroom that's going to be speaking to you, so
20 some of the things I'm going to do are old school.
21 Like, my kids are real good at this kind of computer
22 stuff, but I'm not. So I'm just going to talk to you.

23 And it's important at this stage in the
24 case that you do have an opportunity to hear from the
25 defendants in the case. Under our system, we don't

1 have a chance to talk to you until the plaintiffs have
 2 put on their case. They have the burden of proof.
 3 They have the burden of substantiating all the claims
 4 that they have asserted in the case. They have to
 5 prove them by evidence. So they get the opportunity
 6 to go first.

7 But just like anything in life, there's two
 8 sides to every story. And I think you'll see that in
 9 this case that's particularly true. In fact, much of
 10 this lawsuit and this litigation that I've been
 11 involved in has involved what almost seems to me to be
 12 a parallel conversation. Plaintiffs -- and they have
 13 already talked a lot about it -- want to talk to you
 14 about my client generically, my client's products
 15 generically, accidents hypothetically.

16 What I want to talk about and what we are
 17 going to present evidence to you about is about this
 18 accident and the facts of this accident. We're going
 19 to take pains to do that. We're going to bring to you
 20 the people that know that information. And we're
 21 going to let them, not us, let them tell you about
 22 those facts.

23 We're going to talk to you about this tire.
 24 When I say "this tire," I mean two things. The
 25 subject tire -- and that will be very, very important

1 to concentrate on because the physical evidence of
 2 that tire is the key to understanding this case. So
 3 that tire will be important.

4 But very significantly -- and this is where
 5 I have to ask you to do something that sometimes is
 6 hard in a case like this; that is, keep your eye on
 7 the ball. Because when I talk about "this tire," I'm
 8 also talking about the design of "this tire." And I
 9 didn't hear that in all of the whizbangs and oratory
 10 from the plaintiffs. But this tire is designed to
 11 what's called a specific green tire specification.
 12 And that's important. It's specification 2864, GTS
 13 2864.

14 MR. BALL: 46.

15 MR. MILLER: 46. Thank you. As we'll
 16 explain to you in some, I hope, not overly long and
 17 overly technical way, that specifically defines a tire
 18 in terms of materials, the design, composition,
 19 testing, and a number of other factors. That's the
 20 design that they are contending is defective, and
 21 that's the design that we're going to try to produce
 22 to you the relevant information.

23 It's not a different tire. It's not a
 24 light truck tire. It's not a different size passenger
 25 tire. It's not a tire made to different GTS. So

1 we're going to try to ask you to look for that and
 2 look for this tire, this tire design, and the facts
 3 that are related to this tire.

4 What we just heard was like a closing
 5 argument. I'm not going to make a closing argument to
 6 you. I'm going to make an opening statement to you,
 7 which is me telling you what I anticipate the evidence
 8 in the case will be and asking you to keep an open
 9 mind and to listen to all of that evidence from both
 10 sides before you make any decisions in the case.
 11 That's what your obligation is, and that's what I'm
 12 asking you to do.

13 Who is my client? Cooper Tire and Rubber
 14 Company is the eighth largest tire manufacturer in the
 15 world. It's the second largest U.S. manufacturer of
 16 tires. It is not a manufacturer of original equipment
 17 tires. All of the tires that Cooper Tire makes are
 18 replacement tires.

19 So you may hear the term in the case "fast
 20 follower." What that means is, when new tires come
 21 out for new vehicles that are designed, those tires
 22 are specifically tuned for that vehicle. To build a
 23 replacement tire for a newly-designed vehicle means
 24 you have to very quickly come up with a new
 25 specification, a new design that will adequately

1 function on those new vehicles. That's what Cooper
 2 Tire does.

3 Cooper Tire is an American-owned company.
 4 It has three manufacturing plants currently in the
 5 United States, employs thousands of people. And as
 6 you've heard, one of the plants is in Texarkana. And
 7 it's in the Texarkana plant that the tire that is the
 8 subject of the claims in this lawsuit was manufactured
 9 in the last month of 2000 -- last month of March --
 10 last week of March of 2000.

11 Let's talk just for a quick second about
 12 the facts of the accident, because the real -- there's
 13 really three subjects of contention in this case, and
 14 I've touched on the first of them. And that is, what
 15 is the evidence about this tire? How did this tire
 16 perform in the field? Were there problems with this
 17 tire? And I'll talk to you specifically about the
 18 fact that there wasn't and how we'll prove that.

19 The second area of contention that is very
 20 important to focus on is: Did the separation that
 21 took place on the left rear tire of this 1997 Plymouth
 22 Grand Voyager minivan, did that cause -- did that
 23 cause the accident that ultimately resulted in the
 24 ejection of five passengers and a number of various
 25 serious injuries, including a death and a spinal cord

1 injury? That's the second.
 2 And the third and probably the most
 3 important is: What was the reason that the partial
 4 tread separation took place on this tire? So it's
 5 three separate things, really, that are the
 6 contentious issues in the case. And I want to talk to
 7 you briefly about all three of them.
 8 First, a little bit about -- sort of
 9 background facts. We don't have a terrific amount of
 10 information about the history of the Plymouth minivan.
 11 We do know that initially it was sold into a rental
 12 car fleet and then it passed through several different
 13 owners. And then there's some gaps in time where we
 14 don't know who the owners of the vehicle were and,
 15 correspondingly, we don't have a lot of information
 16 about the vehicle.
 17 We do know that the last title exchange
 18 that we saw records of indicated that at that time it
 19 had more than 145,000 miles on it. But we really
 20 don't have, in this case, meaningful information about
 21 its prior use. And I think that's an important fact.
 22 Another important fact is, we don't have a
 23 lot of information. In fact, almost no information
 24 about the use of the tire that experienced the partial
 25 tread separation. I think this is important to factor

1 in on.
 2 We know when it was made. It was made more
 3 than seven years before this accident. We don't know
 4 what happened to it after that. We don't know when it
 5 was mounted on this vehicle. We don't know if this
 6 was the first vehicle on which it was mounted. We
 7 don't know anything about whether it was ever
 8 dismantled. We don't know anything about any
 9 servicing that was done to the tire. We don't know
 10 anything about the maintenance that was given to the
 11 tire. We don't know anything about the use that was
 12 made on the tire.
 13 That's information that would be nice to
 14 have, but it doesn't exist. It doesn't exist. And
 15 believe me, we have tried to find it. That will be an
 16 important factor to think about in assessing the tire
 17 and what happened to the tire. And I'll get back to
 18 that.
 19 It's very important for you to understand
 20 what happened in this accident, what physically
 21 happened. And we're very interested in presenting to
 22 you the best information available as to what happened
 23 in the accident. And we are fortunate. We are
 24 fortunate in this case because this case was
 25 thoroughly investigated by the Iowa State Patrol.

1 They responded immediately to the scene.
 2 And they had a trained technical accident
 3 investigator on the site, Randy Wacha, and he
 4 conducted an accident investigation to try to
 5 determine what caused the accident. And we'll bring
 6 him into court. And we will bring his colleague,
 7 Cooper will, into court, and we'll have them tell you
 8 what they did and tell you what they concluded and
 9 tell you what the physical evidence that they found
 10 and documented means.
 11 And let's be clear here. Both sides will
 12 independently bring in accident reconstruction
 13 investigators. And both for the plaintiff and for
 14 Cooper Tire, those investigators will rely upon the
 15 documentation, the field drawing, the measurements,
 16 the photographs taken by the Iowa State Police.
 17 Now, we'll present evidence that will show
 18 that the speed of the van is an important factor in
 19 understanding what occurred. We'll bring that to you
 20 several ways. We have found, and we'll bring into
 21 court, a husband and wife who were passed by the
 22 minivan very shortly before the accident. They will
 23 tell you what their observations were with respect to
 24 the speed of the van, which will be high.
 25 We'll present to you two different --

1 you'll hear presented, because the plaintiffs will
 2 present one and we'll present one, two different
 3 accident reconstructionists.
 4 An accident reconstruction expert is an
 5 engineer who takes the available physical information,
 6 markings, measurements, location of vehicles, markings
 7 on vehicles, eyewitness accounts, takes all of that
 8 information and attempts to reconstruct what has
 9 occurred. And from that there has derived a science
 10 that allows them to make calculations about the speeds
 11 of the vehicle at various locations.
 12 And I know it sounds -- it sounds kind of
 13 CSIish, but it's actually quite understandable. And I
 14 think you'll all be able to follow how they do this
 15 and what conclusions they make.
 16 But these speed calculations will indicate
 17 that at the first place on the field sketch prepared
 18 by the Iowa State Patrol, the first marking of an
 19 indication of -- a physical indication that they saw,
 20 the speeds were in a range in excess of the posted
 21 speed limit. I think the high number in the range
 22 from our guy will be 71 miles an hour.
 23 They will also say -- I don't think there
 24 will be a disagreement about this -- that the tread
 25 separation process probably started further up the

1 highway and that the indications are that the speed
2 further up the highway would have been greater.

3 The Iowa State Patrol investigator
4 concluded -- and it's in his written report. He'll
5 sit in this courtroom and tell you that the cause of
6 the accident was the actions of the operator,
7 Mr. Lang.

8 Let me explain why that conclusion was made
9 and, hopefully, why it will make sense to you. The
10 area where the accident took place is a two-lane
11 stretch of divided highway. The van was being
12 operated in the left lane. If a problem occurred with
13 respect to your left rear tire, there's nothing on the
14 left side of the road that would create a problem for
15 you. It's open. There's a break-down lane. There's
16 gravel there. It's essentially straight, although
17 there's a slight curve.

18 And what the reconstruction done by the
19 Iowa State Police shows is that the reaction to that
20 was to continue in what is essentially a straight
21 line, a vehicle moving to the left about two feet --
22 by two feet. That's just short of the fog line. And
23 that's from the physical evidence that they found at
24 the scene. And I'll come back to how that is
25 consistent with the science of what happens in a tread

1 separation.

2 What happens is, vibration, noise in a
3 process that can take from minutes to several minutes
4 where the tire separates. And what that does, it puts
5 a brief pulse, a brief pull to the direction in which
6 the separation took place. That's the vehicle's
7 response. In this case, to the left.

8 And as we'll show you, it was not much. It
9 continued in essentially a straight line. No one, no
10 one will come into this courtroom and tell you that
11 the partial tread separation of a left rear tire
12 causes the vehicle to go to the right. It does not.
13 The only way that the vehicle can go to the right is
14 from inputs from the operator. That's what happened
15 here.

16 A sudden and severe steer impact -- steer
17 input to the right caused the vehicle to go into a
18 clockwise yaw, cross the left lane of travel, cross
19 the right lane of travel, go off the roadway on the
20 right side, get into the gravel and into the grass,
21 dig in the wheels in a furrow and begin to roll, which
22 ended in about a two and a quarter, as we can
23 reconstruct, rolls. And it's during this roll
24 sequence that five of the occupants were ejected from
25 the vehicle and received the injuries that you heard

1 about.

2 That proof is important. The conclusion
3 reached by the Iowa State Patrol is important. Why is
4 it? Because it's not a paid expert that the
5 plaintiffs hired in saying that. It's not a paid
6 expert that Cooper Tire brought in that says that.
7 It's a disinterested agency of the State, has no dog
8 in the fight of any lawsuit. It's their effort to
9 conclude what the physical evidence compels them to
10 conclude, and that will be important. That will be
11 important.

12 It will also be important to listen
13 carefully to what all of the experts from both sides
14 who investigated this incident say about what
15 occurred. And listen carefully, see if there's a
16 significant difference between what plaintiffs'
17 accident reconstruction expert says, what Trooper
18 Wachua says, what a gentleman named Robert Rucoba for
19 us says. And I think you'll find there's not a great
20 deal of dispute about what occurred.

21 Here's where the argument about what
22 occurred is going to be. They are going to say -- and
23 I heard it. I heard it already. The separation of
24 the left rear tire caused the vehicle to go out of
25 control. That's not true. Nothing, nothing that

1 occurred in that tire event caused the vehicle to go
2 to the right. The only way it could go to the right
3 is to be steered to the right.

4 There's also some suggestion that it's to
5 be expected that that would occur. Well, that's also
6 not true. That's also not true. Studies -- and we'll
7 present these to you -- that have been made indicate
8 that the overwhelming majority of the time that
9 there's any kind of tire disablement, no accident
10 takes place, overwhelming majority. The percentage of
11 incidents from one study indicates that it was less
12 than one-half of one percent. Why is that? And here
13 I'm asking you to use your own common sense.

14 We heard a lot of it during voir dire. I
15 had a flat tire. Was there an accident? No, there
16 was no accident. I had a blowout. Was there an
17 accident? There was no accident. My front tire came
18 off. Was there an accident? No accident. Why is
19 that? Why is that? It's so because the forces that
20 occur when there's a tire disablement on one side of a
21 vehicle puts a very short duration, very small in
22 magnitude, pulse on the vehicle. To respond to that
23 takes less steering input than to pass -- move from
24 the outside lane to the inside lane or vice versa on a
25 highway. It's very little.

1 To avoid an accident, all you have to do is
2 not put in an abrupt steering input, not to put in an
3 abrupt braking input. Slow down the vehicle, go off
4 the side of the road, nothing happens, nothing
5 happens.

6 There was a lot of contentiousness during
7 the discovery in this case. And some suggestions by
8 the questioning that, well, sure, you've done a lot of
9 testing and, sure, you have this data that indicates
10 that if there's a tread separation on a rear tire,
11 there's only a modest pull to the side, it only lasts
12 for a short time, and the vehicle is still perfectly
13 manageable to being directed, but you didn't test --
14 you didn't test the 1997 Plymouth minivan, did you?
15 No. No, we hadn't. So we did. So we did.

16 And we'll bring Mr. Rob Liebbe who is a
17 mechanical engineer, who has a great deal of
18 experience in testing suitability of tires and
19 vehicles, first for a different tire manufacturer,
20 also for Ford Motor Company, and for a consulting
21 engineering company for a number of years.

22 And Rob is a better driver than I am.
23 That's probably not a good -- probably a better driver
24 than any of you. He's not a professional race car
25 driver, but he has done some racing. And he's a

1 skilled operator. If we're going to do testing, we
2 are purposefully going to fail tires. I think it
3 would be stupid to put an unskilled operator there.

4 But that's not what we're testing and
5 that's not what we are measuring and that's not what
6 the instrumentation of the testing deals with. It
7 deals with what forces are put on the vehicle, what
8 happens to the vehicle.

9 And we'll bring that information to you and
10 we'll show it to you and we'll present Mr. Liebbe, and
11 you can look at him and you can listen to what he
12 says. And you can see that the testing, which is
13 instrumented with accelerometers so that it will
14 measure the lateral forces applied to the vehicle,
15 measure the vibration applied to the vehicle, show you
16 by video cameras in a number of different places what
17 happens to the vehicle, not the driver, to the vehicle
18 when a left -- rear left tire tread separation takes
19 place. And it's true. He knew it was going to take
20 place. We purposely cut the tires. We tried to get
21 them to fail at highway speed.

22 But you look at it. You assess it for
23 yourself. It won't be something that you have to take
24 my word for. We'll bring it to you. We'll show it to
25 you. Hopefully, we'll prove it to you.

1 In the area of litigation, there's a term
2 that you hear all the time and it's called junk
3 science, junk science. Much of junk science
4 originates in courtrooms where somebody comes in and
5 says whatever. But essentially it's like this: I've
6 been around this kind of product for 20 years.
7 Because of my familiarity with this product, at least
8 as made by somebody else, I know about it, so I'm
9 going to tell you my opinions based on what I saw.

10 And there will be theories and opinions and
11 some of it will be junk science. How do you know it's
12 junk science? How does a good citizen coming into a
13 courtroom who is presented with junk science know that
14 it's not to be trusted?

15 Well, ask yourself this: Is there any
16 peer-reviewed, published material that supports this
17 proposition? Or is this an outlier, is this just
18 something that somebody comes into court and says?
19 That will be important in this case. That will be
20 important for you to consider. What's the empirical
21 research that supports some of the things that
22 Mr. Cottles is going to come into this courtroom and
23 say?

24 Let me juxtapose that a little bit. The
25 most important evidence in the case: Remnants of the

1 tire, the remnants of the tire. There's an adage in
2 tire litigation that the tire will tell the story.
3 And it's true. It's absolutely true. How do we know
4 what the tire is telling us?

5 Well, we're going to bring into the
6 courtroom a real tire expert, Joe Grant, who spent 34
7 years in the tire industry -- not with Cooper -- with
8 Continental General Tire, where he was a designer of
9 tires, designed all kinds of different tires, a tester
10 of tires, somebody who supervised the testing to
11 comply with the FMVSS standards that you've heard
12 about and also the Continental standards, somebody who
13 did that for more than three decades and then has gone
14 out on his own as an independent consultant, someone
15 who is a published author of peer-reviewed materials
16 dealing with forensic tire analysis, somebody who
17 knows tires, somebody who can explain to you the
18 science of tires, somebody who can tell you what
19 information on that tire is important in understanding
20 what occurred.

21 We heard a little bit about tread wear.
22 And I think the tread wear information is important,
23 but it's slightly misleading, what was said. There is
24 on -- tread wear is measured at various grooves on a
25 tire. They are not all the same.

1 If you look at the center four grooves, two
2 to the centerline on one side, two to the centerline
3 on the other side, the average remaining tread depth
4 is probably in the 5/32 range, okay. A tire is worn
5 out and should be taken off the road at 2/32.

6 So this tire was more than halfway through
7 its useful tread life. But that's not the only tread
8 wear story here. There's accelerated tread wear on
9 the outside of the tire in a very significant way.
10 That's an important finding. That tells you that
11 something is wrong, something is amiss.

12 But it's very interesting. Mr. Cottles
13 does not even mention in his written report that he
14 prepared in this case the existence of a nail through
15 the tire. And there's no mystery about what it is.
16 It is a nail. And there's no mystery about where it
17 goes. It goes through the inner liner.

18 MR. BALL: I object.

19 THE COURT: Counsel, will you come forward.
20 (Discussion was held at the bench between
21 the Court and counsel.)

22 THE COURT: Objection is overruled.

23 MR. MILLER: We'll show you photographs of
24 the nail. We'll show you photographs that it goes
25 through the inner liner. What is the inner liner?

1 People my age remember when there were inner tubes in
2 tires. Maybe some of you are old enough to remember
3 that. I don't know. But today's modern tires don't
4 have inner tubes. They have the inner liner. It is
5 what holds the air in the tire to keep it inflated.

6 The nail here went through the inner liner.
7 Is that significant? We've heard this theory that,
8 oh, it's bad, it's bad if there's any air escaping
9 from the inner liner. It will cause trouble to the
10 internal components of the tire. How about if there's
11 a nail hole through the inner liner? Won't the same
12 logic apply? Of course, it will. Of course, it will.
13 There's not only a nail hole in the tire; it's in a
14 place where it's not repairable.

15 There are clear guidelines put out by the
16 Rubber Manufacturers Association in the tire industry
17 as to what kinds of punctures can be repaired and what
18 kind of punctures cannot be repaired. And if a hole
19 is in a shoulder area of a tire, it can't be repaired.

20 What's the significance of that? Well, I
21 believe any reasonable person, if they were aware of
22 the fact that they had a nail in their tire sticking
23 out through the tread, would take it to a reputable
24 tire dealer to look at it. If they did -- if they
25 did, they would be told this tire has to be taken out

1 of service. You can't use this tire. It's not safe.

2 If that had been done in this case, there
3 wouldn't have been a tire tread separation. It
4 wouldn't have happened. But that's not the only,
5 probably, visible indication that this tire was not
6 serviceable.

7 You'll hear from Mr. Grant -- and it's
8 already been touched on a little and I think described
9 in a disingenuous way -- his opinions with respect to
10 the physical indications of serious impact damage to
11 this tire. And those opinions will include the
12 opinion that this tire, in all likelihood, had a bulge
13 or distortion in it before it failed on the day of the
14 accident, another condition that if looked at by a
15 reputable tire serviceman, would have caused this tire
16 to be taken out of service. The physical evidence
17 will show it to you. It's not hard to understand, it
18 really isn't.

19 What's another effect of there being a hole
20 through the tire, through the inner liner causing a
21 leak? Underinflation. You've got a tire with a hole
22 in it, underinflation. Why is that important? Well,
23 we'll explain this to you and we'll show you some
24 animations and we'll attempt to make it as
25 understandable as possible.

1 But if you have an underinflated or
2 overloaded tire, it will flex like this in operation
3 (indicating). The footprint will be a little larger
4 where the tire meets the road. The sidewalls will
5 flex. And if you think about it, that will cause a
6 phenomenon that builds up heat.

7 If you think about a paper clip, if you
8 take a paper clip and you bend it back and forth like
9 that, it will build up heat and it will ultimately
10 fail. The same thing happens with a tire. It's a
11 syndrome called overdeflection, overdeflection. If
12 you run your tires chronically in an underinflated
13 condition or overloaded condition, you won't only get
14 poor gas mileage, you'll build up heat in your tires
15 and you'll begin to destroy the internal components of
16 the tires.

17 If that happens, you will leave physical
18 evidence that has taken place. And that physical
19 evidence is discernible when you look at the tire.
20 You can understand it. What do you see? Accelerated
21 wear on the shoulders, which we have. Deep wheel well
22 weight marks, which we have and we'll show you. Deep
23 compression rim grooves, which we have and we'll show
24 you. The physical evidence of the tire is
25 demonstrable, explainable, understandable.

1 Let's talk for a minute about some of
2 plaintiffs' theories. They contend that the tire is
3 defectively designed because it does not have a belt
4 edge gum strip or a wedge. What does that mean?
5 Those are ways to get -- make sure that you have
6 enough rubber at the edge of the tire where there are
7 a lot of forces. Is that the only way to get the
8 rubber there? No.

9 The issue isn't, does it have a belt edge
10 gum strip or a wedge. The issue is, does it have
11 enough rubber there. And we'll show and demonstrate
12 to you that this tire does and did.

13 A belt edge gum strip will not protect a
14 tire from a tire tread separation. All manufacturers
15 of all tires have tread separations, all of them. It
16 doesn't mean the tire is defective. Perfectly
17 well-designed tires, perfectly well-made tires
18 experience tread separations based on what happened to
19 them while they are in use. Doesn't that make logical
20 sense to you?

21 Have any of you not had the experience of
22 hitting a big object in the road or a chuckhole or
23 something and think, boy, what did that do to my tire?
24 I better take a look at my tire. That's a road
25 hazard. You run through an area where you know there

1 are some nails or glass. Do you think maybe there's a
2 puncture in my tire, maybe I better take a look at
3 that? Why do people do that? They do that because
4 they know it's bad for the tires.

5 So it's not surprising that a perfectly
6 well-made tire in perfectly useable condition when it
7 left the plant could sustain service damage over time
8 that makes it vulnerable, that makes it susceptible to
9 something like a tread separation. And that's really
10 what happened in this case. A belt edge gum strip
11 won't protect you from a road-impact hazard. A belt
12 edge gum strip won't protect you from a nail. It
13 won't make any difference.

14 Same thing applies to nylon cap plies.
15 Every day in this country tires equipped with nylon
16 cap plies experience tread separations. It's a fact.
17 A nylon cap ply won't prevent a tread separation. It
18 won't prevent road hazard damage. It won't prevent a
19 nail from going through it, it simply won't. It's a
20 canard to suggest that the inclusion of either of
21 those features on this tire would have made any
22 difference.

23 Now, let's talk for a moment about the
24 other big design defect argument, the skim coat stock,
25 the move from 525C to 525D. And, you know, we're

1 going to bring Rita Feczner here. The plaintiffs may
2 call her in their case, which is our witness. She's
3 the chemist who made that change. And she'll speak
4 for herself and tell you about the change, why it took
5 place, and whether it means that tires equipped with
6 525C, which functioned well for years over millions of
7 miles of tire usage, was defective. She'll make it
8 clear that that's not so.

9 And again, be an investigator. Look past
10 the claims. Look for the real science. If you want
11 to test that proposition and the big deal about tires
12 a short period after the subject tire was made having
13 problems because they had 525C skim stock instead of
14 525D skim stock, look at the data. And we'll show it
15 to you.

16 What do I mean when I say look at the data?
17 All tire companies have programs that are essentially
18 programs to make their dealers happy about warranty
19 returns. They are called tire adjustments. You have
20 heard something -- some mention about tire adjustment
21 data already.

22 Basically, what happens is you have a tire.
23 You've had it for six months. You are unhappy that
24 it's experienced some kind of failure. You take it to
25 your dealership. He says, I'll get you an adjustment

1 on that, or I'll get you some new tires, or I'll get
2 you a significant discount on new tires or whatever.

3 Those tires then go to an inspection place
4 where they are coded as to what kind of experience
5 they had, whether it was a tread separation or
6 whatever. And that data is maintained. It's
7 maintained by Cooper Tire. It's maintained by all of
8 the tire companies.

9 And why do they maintain it? Well, it's
10 kind of a -- and it's not a perfect measure, but it's
11 at least a measure of how the tire is performing in
12 the marketplace.

13 And, you know, we've already heard about
14 bad adjustment data. There's bad adjustment data in
15 '96, '94, '95, '97. Well, what's the adjustment data
16 for this green tire specification for this size tire
17 made at Texarkana in the one-year period before our
18 tire was made, one-year period after our tire was
19 made? And we'll bring that to you.

20 And the returns of all the tires eligible
21 for adjustments produced for this spec is .06 percent.
22 And we'll present testimony. Put that in context.
23 That's a very low adjustment rating.

24 Now, what does that mean? Well, for a
25 year, approximately, after this tire was made, it had

1 the magic 525D skim coat size. Did it make a
2 difference? Had a good adjustment record before. Had
3 a good adjustment record after. That's a red herring,
4 like many in this case. That's a red herring.

5 It is a tragic event when a single vehicle
6 accident occurs and people are injured or killed.
7 Unfortunately, in the United States it happens with
8 alarming regularity. And it's a sad event. And we
9 don't underestimate for a second -- I'm sure you feel
10 the same way -- to feel sympathy for any families that
11 have experienced this. It's natural to do that. It's
12 unnatural not to do that.

13 But this case isn't about sympathy. If you
14 come into court and if you make claims and if you say
15 this terrible event happened because of something you
16 failed to do or did improperly and it caused something
17 to occur, you bear the burden of proving that and
18 proving it by evidence that's presented here, good
19 science, physical evidence, information from the
20 disinterested people that know the facts. And that's
21 what your obligation is to do in this case.

22 And I am not naive. I probably don't even
23 appear naive. I know that's difficult. I know that
24 is hard. And I know we're asking you a lot because
25 you're going to have a lot of stuff thrown at you.

1 What I want you to do -- I don't know if
2 any of you are football fans. I guess today it's even
3 baseball fans. Be that guy that gets underneath there
4 and looks carefully at the replay, analyze what
5 actually was said, focus in on the evidence, look
6 through the smokescreens, look through the red
7 herrings. Ask yourself: Is there demonstrable,
8 physical evidence on this tire that explains why it
9 had a partial tread separation?

10 If you do a good job doing that, if you're
11 objective about that, if you can stand up to your oath
12 as jurors, that's all my client is entitled to and
13 that's all that we'll ask you to do.

14 Now, let me say one other thing. I haven't
15 said anything about medical expenses or injuries or
16 doctors. In Iowa, like in virtually every state, we
17 don't get multiple trials. We think the evidence
18 shows there's no fault on our client, Cooper Tire and
19 Rubber Company. So that's what we are going to focus
20 on.

21 But we will bring you some information, try
22 to bring you some information to consider in assessing
23 damage claims if you ever get that far. We're not
24 doing that because we think there is a fault here. We
25 strenuously don't believe that, but we are compelled

1 since there's only one trial to do that.

2 So my wife says that I can never not be
3 long-winded, but I'm going to try mightily in this
4 case. And we're going to try to move it along as
5 rapidly as we can. We are going to try to bring you
6 the tools that you need, the evidence that you need,
7 the information you need to understand what occurred
8 here. And if you look at that carefully, if you look
9 at that objectively, fulfill your obligations as
10 jurors, we'll be satisfied. Thank you.

11 THE COURT: Thank you. Mr. Redenbaugh.

12 MR. REDENBAUGH: Please the Court. Well,
13 I'd kind of like to talk to you about a few things
14 before I get into it too much. Again, thank you for
15 your attention so far today and yesterday and the
16 attention that you're going to pay in the next several
17 weeks.

18 We've heard from, I think, both counsel
19 that this is a two-sided debate. And while I'm
20 standing here right now, there's more than two sides
21 here. The plaintiffs are going to tell you that this
22 is Cooper Tire's fault. They sued Cooper Tire.
23 Cooper Tire sued my client. They're saying it's
24 Alfred Lang's fault. Alfred Lang hasn't sued anybody.
25 Cooper has the burden -- Just like

1 Mr. Miller said, plaintiffs have the burden of proving
2 Cooper's fault. Cooper has the burden of proving my
3 client is at fault. And like I said, I think you'll
4 keep them to the same burden that you keep the
5 plaintiffs.

6 Now, I already told you I think in voir
7 dire that I may not have the same number of witnesses
8 as these guys do and the defendants or the same amount
9 of exhibits. I can use all of their exhibits and I
10 can talk to all of their witnesses, but not everything
11 they talk about concerns me. In fact, a lot of the
12 stuff that they talk about doesn't concern me and my
13 client at all.

14 The plaintiffs talk about memos going back
15 to the 1990s, Cooper Tire memos going back to the '90s
16 and damages that extend to the life expectancy of
17 their people.

18 What I want to talk about -- and when you
19 consider the evidence from my perspective and my
20 client's perspective, I want you to just look at from
21 when the tire failed until when the van became unable
22 to control. That's what my client is worried about.

23 Now, Mr. Miller said that the van moved two
24 feet to the left. He didn't say that was
25 insignificant, but he hinted that that was minimal.

1 When you are traveling down the highway, two feet to
 2 the left abruptly is not minimal.
 3 He said that Mr. Lang could have slowed
 4 down and used the median to come to a stop. Now, this
 5 was a sudden -- this was an emergency situation.
 6 Mr. Lang did the best that he could. But he saw a
 7 median and he saw oncoming traffic on the other side
 8 of the median. He attempted to slow down. The
 9 evidence will show that. And instead of going to the
 10 median, to the left, he attempted to use the entire
 11 right lane and come to a stop safely on the right.
 12 Things went wrong. Mr. Lang was unable to control the
 13 vehicle. This was sudden. It was unexpected and
 14 there's nothing he could do about it.
 15 They're going to have all kinds of experts
 16 that will come up on both sides, and they are going to
 17 talk about the tire, the problems with the tire.
 18 You've already probably heard so much about tires that
 19 you don't want to hear anything else. I'm not going
 20 to talk about tires to the extent that the tire had
 21 any problems before, had any nails in it.
 22 What Mr. Lang knows is that his friends or
 23 his coworkers asked him to drive them in their van.
 24 This is not Mr. Lang's van. They asked him to drive
 25 them in their van to work because he had a driver's

1 license. They didn't have a driver's license.
 2 Mr. Lang has his own vehicle. It was perfectly
 3 capable of getting him to work, to and from work.
 4 They asked him to drive their vehicle
 5 because it held more people. None of them had
 6 licenses, so he agreed to do it. And he had done it
 7 for a week. Now, granted, he didn't get out, he
 8 didn't walk around the van and inspect the van and
 9 inspect the tires. I believe Mr. Miller said it would
 10 have taken not a tire expert but a tire professional
 11 to tell that there was a problem with the tire.
 12 Mr. Lang is not a tire professional. He's a layman
 13 just like you or I.
 14 Now, we may be able to see a nail sticking
 15 out of the tire. The X rays that they are going to
 16 show, it's hard to determine what that is. And that's
 17 an X ray, a photograph on something they know what
 18 they are looking for. Mr. Lang didn't look that
 19 closely and nor would any of you, I think.
 20 What he did -- he didn't check the engine.
 21 You know, he didn't do anything. There was gas in the
 22 car and he drove his friends to work. He thought the
 23 vehicle was safe. And he had driven it for a week and
 24 it was safe. He had no problems until this one day.
 25 It was a sunny day. He was driving in the

1 left-hand lane, which you know. He was minding the
 2 speed limit. Now, the defendants are going to tell
 3 you that he was over the speed limit, and they have --
 4 they say they have evidence to prove that. We dispute
 5 that. We say there is no evidence to prove that he
 6 was going over the speed limit. The people in the van
 7 say that he wasn't going over the speed limit.
 8 Mr. Lang says he wasn't going over the speed limit.
 9 Those are the only people that really know.
 10 You were told about junk science, about
 11 science. It's going to be your job to determine what
 12 people actually knew. The only people that actually
 13 knew what happened were in that van.
 14 I want to tell you a little bit about my
 15 client. The plaintiffs have very sad stories about
 16 how they came permanent legal residents or came to the
 17 United States. My client has a similar story.
 18 He was born in Sudan. In 1983 -- He was
 19 born in 1976. In 1983 the civil war broke out. He
 20 lived there through -- in the war. I mean running --
 21 when the villages were raided, he would run into the
 22 bush and hide with other boys or other people that
 23 were able to do that. He would eventually come back
 24 to the village. He did that for six years.
 25 He was then taken with a group of Lost

1 Boys. I don't know if you have heard anything about
 2 Lost Boys, but these Lost Boys are a group of Sudanese
 3 young men who were either tending to their herds or
 4 escaped into the fields when the villages were raided.
 5 He was taken to Ethiopia with this group,
 6 taken from his family, which at that point it would be
 7 awful, but that was the best thing for him at that
 8 point. Taken to Ethiopia where he lived for two
 9 years. Another civil war broke out in Ethiopia, and
 10 he was sent back to Sudan.
 11 Now, Sudan was still going through war. He
 12 lived in Sudan for another two years. Then he was
 13 taken with another group of Lost Boys to Kenyan. Now,
 14 he hasn't seen his family in I think eight years, and
 15 it would be another 13 years before he saw them again.
 16 He lived in Kenyan. He attended school,
 17 high school -- grade school, graduated high school,
 18 graduated, and went to a two-year tech school where he
 19 received a degree.
 20 He was then given the opportunity to come
 21 to the United States. He took that opportunity,
 22 jumped at it. This was 2005. But he came here alone.
 23 He began work at DZ Manufacturing in Ankeny. He
 24 worked at Hy-Vee, Kelly Services, and then the Swift
 25 meat packing plant in Marshalltown.

1 He was a legal, permanent resident on his
 2 path to citizenship. This was his dream. He had seen
 3 an awful amount of tragedy in his life. He had been
 4 here for two years. On that day he was taking his
 5 friends to work and a tire blew out.
 6 Now Mr. Lang has to live with that tragedy,
 7 has to live with the fact that the van became unable
 8 to control and his friends were severely hurt. His
 9 colleagues were severely hurt. He lives with that
 10 every day, and he will for the rest of his life.
 11 Now, I'm confident that after you hear all
 12 the information, you will find Alfred Lang was
 13 confronted with an emergency situation, not of his own
 14 making, but he acted in the best way that he could.
 15 Now, there will be evidence that it is
 16 possible to slow down, to stop, and everybody is okay.
 17 Mr. Lang did slow down. He tried to avoid going off
 18 the left-hand side of the road, tried to avoid the
 19 median where who knows what would have happened. He
 20 could have crossed the median. And there's evidence
 21 that there were people coming the other direction,
 22 because those are the people who first called 911. So
 23 there were cars coming in that direction. Alfred Lang
 24 was scared that he was going to run into those people.
 25 He saw a chance to get over in the right lane, slow

1 down, but was unable to keep control.
 2 So I'm confident that you'll find that he
 3 was confronted with an emergency situation, and he
 4 should be judged accordingly and it's not his fault
 5 for this accident. Thank you. That's all I have,
 6 Your Honor.
 7 THE COURT: Thank you. I think we will
 8 recess for the afternoon at this time. I think you
 9 all have had a long day. If you'll be back in the
 10 jury room at 9:00 tomorrow morning, we will start with
 11 the evidence at that time.
 12 So remember the admonition. Don't talk
 13 about the case with each other or anyone else, and
 14 we'll see you in the morning at 9:00 a.m. Have a good
 15 evening.
 16 (The jury was dismissed at 4:18 p.m.)
 17 (The following record was made out of the
 18 presence of the jury.)
 19 THE COURT: Anything else that we need to
 20 discuss?
 21 MR. JAMES: I hope not.
 22 MR. FARRAR: Nothing from the plaintiffs.
 23 MR. MILLER: I believe we have an
 24 understanding as to who you will call tomorrow?
 25 MR. FARRAR: Yes, Stan and Cramer.

1 MR. MILLER: And maybe --
 2 MR. FARRAR: I just can't imagine we'll get
 3 there; but, yeah, it would be Beach by video.
 4 MR. MILLER: Okay.
 5 THE COURT: Okay. See you tomorrow
 6 morning. Thank you all.
 7 (Trial recessed at 4:20 p.m., February 17,
 8 2010.)
 9
 10
 11
 12
 13
 14
 15
 16
 17
 18
 19
 20
 21
 22
 23
 24
 25

1 CERTIFICATE
 2
 3 I, Jody L. McCabe, one of the Official
 4 Shorthand Reporters in and for the Fifth Judicial
 5 District of Iowa, hereby state that I acted as such
 6 court reporter on the above-entitled case in and for
 7 Polk County before the Judge stated on the title page
 8 attached to this transcript and took down in shorthand
 9 the testimony offered and the proceedings had on said
 10 matter.
 11 That the foregoing pages are a true and
 12 complete computer-aided transcription of the hearing
 13 so taken by me in this cause, and that the transcript
 14 contains all of the testimony offered and proceedings
 15 had on said matter.
 16 Dated this 26th day of July, 2010.
 17
 18
 19
 20 _____
 21 JODY L. McCABE, CSR, RPR, RMR
 22
 23 Transcript ordered April 12, 2010, by
 24 Attorney Richard Sapp.
 25
 Transcript completed July 26, 2010.